

IN THE SUPERIOR COURT OF THE STATE OF CALIFORNIA

IN AND FOR THE COUNTY OF SAN DIEGO

DEPARTMENT NO. 54

BEFORE HON. WILLIAM H. KENNEDY, JUDGE

THE PEOPLE OF THE STATE OF
CALIFORNIA,

Plaintiff,

vs.

KEVIN COOPER,

Defendant.

Case No. CR72787

Volume 3 of 3

Pages 313 to 386,
Inclusive

Reporter's Transcript

June 25, 2003

APPEARANCES:

For the People:

BILL LOCKYER

Attorney General

By: FREDERICK R. MILLAR, JR.

BARRY CARLTON

Deputy Attorney Generals

110 West "A" Street, Suite 1100

San Diego, California 92101

MICHAEL A. RAMOS

District Attorney

By: JOHN P. KOCHIS

Deputy District Attorney

316 N. Mountain View Avenue

San Bernardino, California 92415

For the Defendant:

WILLIAM M. MCGUIGAN

Attorney at Law

815 Third Avenue, Suite 306

Chula Vista, California 91911

DAVID BERNSTEIN

Attorney at Law

11012 Ventura Boulevard, Ste. 350

Studio City, California 91604

KAY E. COLLIER, CSR No. 2725

Official Court Reporter

1 SAN DIEGO, CALIFORNIA, WEDNESDAY, JUNE 25, 2003, 9:34 A.M.

2 ----0----

3 THE COURT: Good morning, counsel.

4 Since you are the moving parties, I believe that it
5 would be appropriate for you to have the first say, so to
6 speak. Have you arranged between you who wishes to go first?

7 MR. MCGUIGAN: We have.

8 THE COURT: All right.

9 MR. MCGUIGAN: And then I assume we'd have the privilege
10 that the district attorney usually has of rebuttal to his
11 argument.

12 THE COURT: You mean to rebut anything that they might
13 say?

14 MR. MCGUIGAN: Yes.

15 THE COURT: Yes.

16 MR. MCGUIGAN: Thank you.

17 THE COURT: Unless there's disagreement with that
18 procedure, since they are the moving party.

19 MR. KOCHIS: There's no disagreement.

20 THE COURT: Thank you.

21 MR. MCGUIGAN: Thank you, your Honor. Good morning. I
22 believe we're here today to argue the issue of the
23 mitochondrial -- the proposal by the defense for mitochondrial
24 testing of the hair and whether we meet the standard set forth
25 in 1405 for that testing. As a corollary to that,
26 Mr. Bernstein is going to argue the tampering, the EDTA
27 motion.

28 I don't understand, until we know what the Court's

1 ruling is, that the final argument on the outcome of this
2 whole proceeding is -- I mean, I'm not -- I don't believe that
3 I need to do that today.

4 THE COURT: I know what Penal Code section 1405 says that
5 I should do if I grant your motion. What I don't know is
6 specifically what is the relief requested on the contamination
7 issue.

8 MR. MCGUIGAN: Well, I think Mr. Bernstein --

9 THE COURT: Or is it just an adjunct of the 1405 motion?

10 MR. MCGUIGAN: It is an adjunct of the 1405 motion.

11 THE COURT: Okay.

12 MR. MCGUIGAN: However, because there was concern, I
13 guess, over whether a habeas had been filed on that issue, a
14 habeas has now been filed, and I'll let Mr. Bernstein talk
15 about that.

16 THE COURT: Well, the section that Mr. Bernstein was
17 relying on specifically says it's either in connection with a
18 habeas corpus motion or a motion to vacate the judgment. Up
19 until this very minute, I don't have either one of those
20 before me.

21 MR. BERNSTEIN: Your Honor, as we argued in our papers,
22 we think it's an incorrect interpretation of the statute to
23 require that these motions be filed before there's any testing
24 results. It would be analogous to filing a petition or a
25 motion to vacate the judgment with no evidentiary basis.

26 THE COURT: Okay. I don't mean to raise it at this
27 time. I'm just telling you what's on my mind. You can
28 address it.

1 MR. MCGUIGAN: Right. Thank you.

2 All right. So I believe we're at that point in the
3 case, your Honor, where the -- obviously the testing or not of
4 the mitochondrial DNA evidence that's all been discussed in
5 evidence here by way of exhibits is the decision that the
6 Court has to reach.

7 Now, the statute itself, of course, I know the Court
8 has read it probably several times, as all of us have, and as
9 far as I know today there's been no appellate clarification of
10 the statute. The only post-enactment action, I guess, that
11 one could say on the statute is the recent addition by the
12 Legislature of 1405(b)(1) covering the area of how does the
13 indigent convicted person get to this stage of addressing the
14 Court. We're not -- this is not relevant to our situation
15 today.

16 THE COURT: It seems what's relevant to your discussion
17 with me this morning, if I can zero in on it, is Penal Code
18 section 1405(f) and the items under (f), and particularly
19 relevant to this case, it seems to me, are (f)(4) and (f)(5)
20 and maybe even (f)(8).

21 MR. MCGUIGAN: All right. Well, the Court will be glad
22 to know that's the way I've structured my argument, and I've
23 highlighted those very portions.

24 So -- but what I wanted to comment on in the
25 additions by the Legislature to the motion is in the first
26 place under (m), new (m), the right to file this motion is
27 absolute and not waivable. This has been added, and the other
28 provisions that I discussed affording the convicted accused a

1 right to access to counsel to get the motion before the Court.

2 Both of these sections, I don't see -- they don't
3 directly bear on the case today, but they certainly show that
4 the Legislature is not watering down or backing away from this
5 motion. On the contrary, they're making it more powerful.
6 They're making it a more significant part of our criminal
7 jurisprudence, especially post-conviction jurisprudence, and
8 even have gone so far as to add (m), which sets forth that
9 this can't be waived.

10 As far as I know, that's the only -- one of the very
11 few, if any, provisions in the Penal Code where the
12 Legislature has seen fit to say that it can't be waived like a
13 plea or something of that nature. The defense bar doesn't
14 like attempts to waive it and the state court hasn't done much
15 in that regard, but the feds have. But the point being that
16 the Court can see that the motion is not an afterthought.
17 It's not a parallel to existing habeas law, although it may
18 borrow from existing habeas law.

19 So having said that, I'd like to turn, if I might,
20 to the merits, and the Court has already indicated -- and I'm
21 not going to spend a lot of time on those other subsections
22 except to comment -- I'm looking at subsection (f), of course,
23 your Honor. And (f)(1), I don't think there's any question
24 that we have evidence which is available and which could be
25 tested in a mitochondrial manner, and all the experts -- both
26 the experts have said so.

27 We have the hair evidence, and if I might -- the
28 Court can look at all of them, but there's a couple in

1 particular that I would like to emphasize, and that is in the
2 Exhibit 38 through 48 areas and 55 through 57 areas, which are
3 the -- the digital reproductions that were taken by Mr. Myers
4 when the evidence was and still is at the DOJ lab. So that's
5 certainly sufficient. We heard Mr. Plourd's testimony. It
6 was uncontradicted that one centimeter, one hair, is
7 sufficient to give us a viable mitochondrial DNA profile, and
8 of course there's far more than that here.

9 Some of the hairs may be animal hairs. We heard
10 Mr. Myers testify that he essentially had already screened out
11 many of the animal hairs just visually and that a visual
12 examination of the hairs by a qualified criminalist, who isn't
13 even a hair expert but who has experience in that area, can
14 screen out the vast majority of the animal hairs. So I don't
15 think that the animal hairs in any way prevent the testing
16 from going forward on the human hairs that are also identified
17 as to each victim, each hand and so forth.

18 So that evidence is available. It's in a condition
19 that would permit the testing. The fact that it's been washed
20 of blood already doesn't prevent the testing. In fact, I
21 believe everyone testified again that the hair -- before one
22 tests a sample of hair for mitochondrial DNA, you wash and
23 clean the shaft of the hair entirely from blood or anything
24 else. And, of course, the fact that the blood in the hands,
25 you know, is tied to the victims, victims who had, of course,
26 their own blood all over their hands isn't in any way --
27 anything they had in their hands would have had their blood on
28 it, and that would be washed off.

1 Okay. The identity of the perpetrator, (f)(3), was
2 a significant issue. It is a significant issue. It is the
3 only issue, really, in the case, in that this horrendous
4 multiple killing is certainly murder. There's no question
5 about any of that. There's been no question introduced in the
6 case other than the identity of Mr. Cooper. That's been his
7 defense from the beginning of this case.

8 Now, the language at (4) is can we make a prima
9 facie showing that the evidence is material to ID as the
10 perpetrator or accomplice to the crime, to the special
11 circumstances or to the enhancement allegation that resulted
12 in the conviction or sentence, and this is a death case. The
13 enhancement allegations resulted in a death verdict from the
14 jury.

15 It was a long deliberation, and the death verdict by
16 the jury took a long time, considering that the defense
17 penalty phase was less than an hour in length, your Honor,
18 and then the jury went out and deliberated. Having already
19 convicted, they deliberated for a long time. And they
20 reported at one time that they were hung, and then they
21 withdrew that before the trial attorneys could get down from
22 San Bernardino.

23 THE COURT: Let me direct a question to you so that at
24 least I can be more focused, if possible. (4) reads -- (f)(4)
25 reads, under 1405 of the Penal Code:

26 "The convicted person has made a prima facie showing
27 that the evidence sought to be tested is material to the
28 issue of the convicted person's identity as the

1 perpetrator of, or accomplice to, the crime, special
2 circumstance, or enhancement allegation that resulted in
3 the conviction or sentence."

4 Now, are you contending by way of the hair evidence
5 that it might show that there was somebody else at the scene
6 other than the -- Mr. Cooper, and therefore Mr. Cooper --
7 though he's never indicated anything but, "I wasn't there and
8 I never did it," that he's now a possible accomplice? Which
9 is a big shift in position from the last 20 years.

10 MR. MCGUIGAN: Well, what we believe the evidence may
11 show -- in the first place, by looking at it we can see, for
12 instance, Exhibit 44, Exhibit 41, these are not an
13 African-American's hairs.

14 THE COURT: You haven't answered my question.

15 MR. MCGUIGAN: I'm coming to it, your Honor.

16 THE COURT: Okay.

17 MR. MCGUIGAN: So we believe, and I believe we've always
18 contended -- the defense always contended that third parties
19 actually committed the homicides in this case, and it was
20 either the three Mexicans or there was even testimony
21 introduced about three skinheads at the famous Corral Canyon
22 Bar where the T-shirt was found behind.

23 The prosecutor's position at trial in that case was
24 that the T-shirt was irrelevant, and of course they've shifted
25 their position now after they saw the test results and say,
26 "No, we withdraw that. It's really extremely relevant and
27 it's Cooper's," whereas at the trial their position was it's
28 not Cooper's, it has nothing to do with the case. So as time

1 goes by and more evidence is produced, your Honor, I think
2 both sides are entitled to change their position.

3 But the defense contention from the very beginning
4 and throughout the trial is it was more than one man who did
5 the crime, and the man was not Mr. Cooper who did the actual
6 killing. It's true that he always contended he wasn't in the
7 house, and he tells us that to this day and insists that he
8 wasn't. And the only DNA evidence still that puts him in the
9 house that I'm aware of is 841, and that was not taken from
10 the actual room where the murders occurred.

11 THE COURT: That isn't what the Supreme Court said in
12 their opinion. There was no DNA at that time, 841 or
13 otherwise. There was nothing.

14 MR. MCGUIGAN: Well, your Honor, it's not for me to
15 denigrate the Supreme Court. Had they been before the Supreme
16 Court four years earlier, I seriously question whether we'd
17 have had language like emerged from this opinion. And there
18 were two dissenters on that case on the Supreme Court, in
19 Cooper's case.

20 Furthermore, there's been a great deal of talk by
21 Mr. Millar especially about what the California Supreme Court
22 found. I'm not aware that the California Supreme Court ever
23 finds anything in the sense that a trial court finds a fact.
24 No facts are supposed to be found at the appellate level. I
25 believe that the California Supreme Court is supposed to
26 review the evidence and discuss it, and findings of fact are
27 made at a lower level. The Supreme Court doesn't make
28 findings of fact.

1 So if that had been the criteria, none of these
2 post-conviction motions, I would submit, your Honor, would
3 ever be brought if the criteria is whether the state Supreme
4 Court has already affirmed the verdict, because it has, and
5 they never affirm a verdict without saying that the evidence
6 is strongly supporting it.

7 Over a hundred people have been released from death
8 rows throughout the country from post-conviction DNA evidence.
9 And I have not surveyed every one of those hundred cases, but
10 I would be really surprised if in every one of them the
11 appellate courts didn't say that the evidence was very strong
12 and convincing and, in fact, probably overwhelming.

13 So I don't think that this Court in this proceeding
14 -- obviously, the Cooper case is part of the entire record of
15 -- the Supreme Court's opinion is part of the entire record of
16 the Cooper case, but I don't think that the Court -- we have
17 discussed at great length, and in fact if the Court wants to
18 review our voluminous pleadings where we discuss the various
19 findings that the California Supreme Court has relied on,
20 so-called findings at the trial level, what was the motive?

21 Mr. Kottmeier, then the district attorney, argued to
22 the jury that there is no motive, that there can be no motive
23 for such an outrageous, violent offense. And then later on
24 the Supreme Court found that the motive was theft of the car,
25 and the evidence since then has seemed to support that theft
26 of the car was certainly involved.

27 But your Honor knows that however many car thefts we
28 have, especially in San Diego, thousands of them every year,

1 if the people all went and murdered the owners when they stole
2 the cars, we would have thousands of murder trials every
3 year. And I would submit that the logical inference to draw,
4 if the person's motive was to steal the car and he's an
5 escaped convict, the last thing in the world he would want to
6 do would be to go up and murder a whole family. He wants to
7 get away. He doesn't want to draw attention to himself.

8 Of course, the sure -- if a person goes up and
9 murders four people and nearly murders a fifth, he knows that
10 there's going to be enormous interest focused on him, his
11 whereabouts, his movements and everything. He might as well,
12 if that was his intention, have set off flashing lights and
13 sirens on top of the car. So I don't think that that's a
14 rational explanation.

15 Another item is a piece of bloody rope, not the same
16 as the rope at the Ryens' house, but a piece of bloody rope
17 nonetheless. Well, if we were arguing this matter to a trial
18 court and the prosecutor said, "Look, here's a piece of bloody
19 rope from the hideout house, the Lease house," which is not
20 any of the rope from the Ryen house, but it's bloody and it's
21 different from that other rope, of what significance is that?
22 And so forth.

23 Every one of these items has been discussed at
24 length in various pleadings, your Honor. And the crime is
25 actually -- when one first comes to view it, is a very strange
26 crime, very incomprehensible crime, as to why a person, and a
27 single person, would engage in this extremely violent behavior
28 in the manner that was done, and all the weapons that were

1 used.

2 So there's strong evidence, and the pathologist has
3 said -- and they said this at the trial and withdrew it and
4 said it at the habeas proceedings -- that the evidence is very
5 strongly indicative that there was more than one person
6 present to do all these -- inflict all these wounds in a very
7 short period of time against four people.

8 So I think the evidence that this hair was found in
9 the hands of the victims -- I'll talk about whether it was
10 clutched in their hands in a minute, if I may, or now if the
11 Court wants me to. We had evidence about the habeas -- I
12 mean about the rigor mortis introduced, I guess it was, by
13 Mr. Stockwell, and I don't dispute his evidence.

14 We simply don't know -- my co-counsel has made a
15 point of discussing many times that the hair was clutched
16 tightly in the hands, similar to Mr. Millar's cite to the
17 California Supreme Court's overwhelming evidence. But we
18 simply don't know if it was clutched in their hands. But I
19 think the evidence -- because they end up in a semi-closed
20 position, but it's laying in the palms of all their hands.
21 How could it just be laying?

22 It isn't random collections of hairs, your Honor.
23 If you look at 44 and 41, these are chunks or swatches of
24 hair. They didn't drift through the air. And did every one
25 of the people fall down face first, put their hands on a mound
26 of hair, scoop it up, and then turn around and be found with
27 the hair in their hands?

28 Again, I think that that's an extremely unlikely

1 hypothesis, whether it was taken from the heads of the -- and
2 we'll know the answer if we do the mitochondrial testing, as
3 to whose hair it is. At least we'll know if it matches the
4 victims' hair. We'll know if it matches to itself.

5 So I think that that's -- and that's why the
6 evidence would be material, your Honor. It would be material
7 if it showed, one, that amongst the hairs in different
8 victims' hands are the same maternal DNA, which is not
9 consistent with any of the maternal DNA, mitochondrial, that
10 is, of course, in any of the other victims or Mr. Cooper.
11 We'll know that, if there is such a match.

12 I'm not disputing any of the things Mr. Myers said
13 about the use of mitochondrial results by law enforcement to
14 go out and identify an unknown perpetrator. That's very
15 difficult, and most of what Mr. Kochis was asking him dealt
16 with that problem, I think, if you get a mitochondrial profile
17 and you don't know who it is. But if it's just random hairs
18 and they don't match any of the victims, but they do match one
19 another, Mr. Myers, I believe, specifically said that -- after
20 a long time he was able to articulate, yes, that would be a
21 significant finding and would be the kind of thing that any
22 criminalist would want.

23 So that's why I believe -- and I believe the Court
24 has correctly identified (4) and (5) as being the problems,
25 and I've thought those were the problems for many months. So
26 -- and that's where the motion is weakest. In every other
27 aspect I believe it's very strongly supported, and in fact I
28 don't believe the other segments are really -- can be

1 seriously contested.

2 When I'm done with this I'll talk about the Court's
3 concern over Item (8), because I think when I'm done the Court
4 will see our position as to Item 8.

5 I'm not really going -- I would submit that the --
6 if we go to (5) now, (f)(5), raising a reasonable probability
7 that the verdict or sentence would have been more favorable, I
8 think in that area --

9 THE COURT: Let me interrupt you just for a moment.

10 MR. MCGUIGAN: Yes.

11 THE COURT: Is there any disagreement that the plain
12 language of the statute prevails here, that to satisfy (4) the
13 test is a prima facie showing and to satisfy (5) would be to
14 raise a reasonable probability? Is there any --

15 MR. MCGUIGAN: That's what the statute says. Now, what
16 those words mean I don't think is entirely clear, your Honor,
17 and we argued something about that earlier.

18 THE COURT: I understand there's no precedent for that,
19 but that's what the language says.

20 MR. MCGUIGAN: That's what it says.

21 THE COURT: All right.

22 MR. MCGUIGAN: And I would submit as to the prima facie
23 showing that the evidence that's sought to be tested is
24 material, namely, (4), that that as much -- that was
25 essentially conceded, I thought, by Mr. Myers when I
26 cross-examined him and he said, yes, if those results were as
27 discussed they would be significant about the identity of the
28 perpetrators or perpetrator.

1 Now, the reasonable probability question I think
2 deals somewhat with the type of hair to be tested and the
3 evidence gathering, whether the hair would be -- results would
4 be able to be separated from all these other hairs, and some
5 of the things that Mr. Kochis was talking about.

6 I don't think there's any real likelihood, your
7 Honor -- I don't know what Mr. Kochis is going to say, so I
8 shouldn't say this because -- so I don't know what he's going
9 to argue about the chain of custody. I think it's more than
10 adequate for this hearing on the hairs. It's interesting that
11 I think both sides are going to argue, well, the chain of
12 custody of evidence we like is great, there's nothing wrong
13 with it, but the chain of custody of the evidence we don't
14 like is bad. It's a problem. So we can at least agree on
15 that.

16 So the evidence gathering and handling, for purposes
17 of this issue -- I mean, first it was the state or the
18 sheriff's deputies who were doing the gathering and handling;
19 and secondly, as Mr. Ogino admitted, the items that they
20 seized, they seized them because they thought they were
21 material. They thought that they would possibly yield
22 evidence that they could use in prosecuting the case.

23 They didn't go out there and say, "Oh, gee, look at
24 this dirty carpet. It's so dirty, there's no point in
25 collecting any hair evidence." In fact, they collected all
26 this hair evidence and they collected it because they thought
27 it was significant and material. So I don't see how they can
28 now say, "Well, it really is insignificant and immaterial, and

1 we just collected it because we needed the practice" or
2 something. If they thought then that it was useful, now we
3 know it's even more useful because of mitochondrial DNA.

4 So I think as to (f)(7) there is no question, again,
5 that the method is commonly accepted. All of the experts were
6 fully aware of it, and it's reliable.

7 Now, there's some concern that the motion is made
8 solely for delay. Any death penalty case takes a long time to
9 go forward, your Honor. If the prosecution wanted this case
10 to go forward and resolve this DNA issue expeditiously, we
11 started writing letters requesting that the DNA testing be
12 agreed to by them over three years ago. It wasn't until the
13 passage of this statute that they agreed to any DNA testing,
14 and I would submit under the compulsion of this statute they
15 agreed to it.

16 Then we negotiated the procedure, not part of this
17 hearing, but I believe the agreement is on file, and it took
18 four months to negotiate the agreement. And there was
19 negotiation back and forth, and the wording of the agreement
20 was discussed at length and so forth.

21 My vivid recollection -- Mr. Plourd was the guy who
22 did most of that from the defense side, Mr. Millar for the
23 prosecution. And I'm not saying it was improper or wrong,
24 but it took three months to agree on the wording of that
25 contract. And then having selected the analyst which we did,
26 and only one analyst, of course, it necessarily took a long
27 time for that, being the only guy who could do the work, to go
28 forward.

1 Now we're here and now the prosecution, who resisted
2 for four years doing this very testing, all this DNA testing
3 that we're now at the point of, now says, "Well, for you to
4 ask for the testing is just for the purposes of delay." I
5 think that's not true, your Honor. As a matter of fact, the
6 fastest way to get Mr. Cooper killed, which is the
7 prosecution's goal, because that's their duty, as I see it,
8 is to grant the motion, test the materials, and we'll know the
9 answer.

10 We met with a number of media during the early
11 stages of viewing the evidence and so forth in this case, both
12 Mr. Millar and I. We talked to them. Invariably they said,
13 "So what's the problem? Let's do the test and find out the
14 answer." And certainly if we do the test, your Honor, and we
15 find out the answer, what sort of appellate issues can be
16 raised by the defense?

17 I would submit that some people -- if I were the
18 prosecutor I'd say, "Sure, let's do it now. Let's do it last
19 year. Do the mitochondrial testing too. Let's do it." It
20 would be over. In less than six months we'd have the answer,
21 and that is certainly not deliberately delaying anything.

22 If we don't do it, then we have appellate issues
23 raised and these statutes, which have no appellate history at
24 all, and to think that they're going to be resolved in less
25 than six months is impossible. So I don't see how my request
26 -- our request, the defense request to do mitochondrial
27 testing could possibly be attributed to a desire to delay.

28 On the other hand, if the evidence turns out in

1 favor of the defense, that's where 1405 becomes very vague, I
2 think, as to what happens then. I would assume, and it would
3 be my position that we would come back to this Court and
4 reargue whether relief should be granted. Now, whether we'd
5 have to file that also as a habeas or not -- in other words,
6 it doesn't say what happens if the defense wins.

7 Hopefully, the prosecution -- in this case the
8 evidence at this point I think would be very significant, very
9 material. But I seriously doubt, given the results of the
10 nuclear DNA, that Mr. Millar or Mr. Kochis would say, "Well,
11 let's let him out or let's modify his sentence." I think we
12 would have to -- but that all remains to be seen. I think we
13 would have to maybe have another hearing. That would only be
14 necessary if the evidence is favorable to the defense, the
15 outcome.

16 We're not standing here quarreling about how
17 Mr. Myers and the DOJ lab, accompanied almost at all times by
18 Dr. Blake, and selected jointly by the parties, these very
19 people because both sides trusted what they did -- that
20 Mr. Myers somehow contaminated the evidence in the midst of --
21 that he and Dr. Blake somehow -- I mean, we've got the answer
22 now to the nuclear DNA. We don't have the answer to the
23 mitochondrial DNA. All we're asking is that we do that
24 testing, and the time spent involved would be, I think, less
25 than six months and we'd know the answer.

26 So that's basically the argument I would like to
27 make, reserving rebuttal, as I say. Thank you.

28 THE COURT: All right. Thank you.

1 Mr. Bernstein?

2 MR. BERNSTEIN: Yes. Thank you, your Honor.

3 I'd be the first to concede that the defendant has
4 not made out a compelling case for evidence tampering at this
5 hearing, but I don't think the statute requires him to make a
6 compelling case, merely to show good cause, and that's sort of
7 a nebulous standard that probably relies a lot on the
8 subjective interpretation of various trial judges. I think
9 the showing that Mr. Cooper has made, minimal as it is, should
10 satisfy the good cause standard under the circumstances of
11 this case.

12 We do know from Mr. Gregonis's testimony that 15 to
13 20 people had access to Mr. Cooper's blood when it was in the
14 EDTA tube in the refrigerator at the San Bernardino crime lab,
15 and we know that blood could be withdrawn from that tube
16 without breaking any seals. We can't, of course, demonstrate
17 how or who or when blood might have been planted on the shirt.
18 If we could do that, we wouldn't need EDTA testing. We'd have
19 that evidence without -- the tampering evidence without
20 needing that.

21 There are some suspicious circumstances, at least.
22 I mean, the shirt was defense evidence at the trial. There
23 was no link to the defendant. There was testimony that the
24 defendant couldn't have owned a shirt like that and Roger
25 Lang, who the defendant stole clothes from when he left the
26 house, indicated that the shirt was not his.

27 There are some suspicious circumstances regarding
28 the cigarettes as well. On June 7th Ogino and Stockwell

1 searched the house, seized apparently only that one
2 cigarette. On Wednesday, June 8th, I believe that was the
3 date of Sergeant Arthur's declaration in support of the search
4 warrant for the Ryen house, and he stated that hand-rolled
5 cigarettes had been found in the house, even though they
6 hadn't been.

7 Mr. Cooper was not a suspect, or at least not a
8 particular suspect at that time. He was just one of a number
9 of possibilities. They were mainly looking for three white
10 men or three Mexican men at that time.

11 On Thursday, June 9th, the telephone records at the
12 Lease house established that the defendant had been staying
13 there, and he becomes the prime suspect immediately and a
14 criminal complaint is issued against him that same day.
15 Then Saturday, June 11th, the car is found in the parking lot
16 and the two cigarettes are found in it.

17 There are a lot of missing cigarettes here that
18 Mr. Cooper logically would have smoked. He testified at the
19 trial in '83 that he smoked both commercial and hand-rolled
20 cigarettes while he was in the Lease house. He certainly
21 couldn't have anticipated these circumstances. That wasn't
22 exculpatory testimony or self-serving testimony at the time.
23 There's no reason to disbelieve it. That was his habit and
24 custom, to smoke hand-rolled and commercial cigarettes.

25 So at this point I think that, minimal as it is,
26 that should establish good cause because of the consequences
27 of the testing here. If EDTA were found on the shirt in
28 sufficient quantity to suggest it had been planted, that has

1 enormous consequences for the integrity of the evidence in
2 this entire case, Mr. Cooper's guilt or innocence, more so, if
3 counsel will forgive me, than any findings of mitochondrial
4 testing on the hairs could provide.

5 Beyond that, I'd also like to address some of the
6 People's arguments in their opposition, starting with the
7 timeliness. It's true we had knowledge of the results of the
8 testing a number of months before we actually made this
9 motion. But this is a fairly new technology, the preservative
10 testing, and we simply didn't think of it until Mr. Grele
11 thought of it. There was no purposeful delay, and the statute
12 doesn't suggest any particular time limit. So we think the
13 timeliness argument should be rejected on their part.

14 I think to require that Mr. Cooper file a habeas
15 corpus petition or motion to vacate the sentence prior to
16 having any evidence to support it certainly would be elevating
17 form over substance. We've made our legislative intent
18 arguments in our discovery motion. We don't think that should
19 be necessary, that a couple pieces of paper should be filed.
20 If the Court wanted to and believed that should be the case,
21 it could just deem this discovery motion as a habeas corpus
22 petition.

23 The People say that this is Mr. Cooper's attempt to
24 do an end run around section 1405 by using 1054.9, but that's
25 not true. Under 1405 Mr. Cooper's already been granted access
26 to the shirt through Mr. Blake, but that's only for the
27 purpose of DNA testing. We're merely arguing that he should
28 be granted continuing access for the purpose of EDTA testing,

1 which is not authorized by section 1405 but we think is
2 covered by 1054.9.

3 The People say that in any event, the scientific
4 acceptance or the acceptance in the scientific community of
5 preservative testing hasn't been established and they would
6 probably want a Kelly-Frye hearing if your Honor were inclined
7 to grant the motion. We agree that would be appropriate.

8 That's about all I have to say.

9 THE COURT: Thank you, sir.

10 All right. Mr. Kochis?

11 MR. KOCHIS: Yes, your Honor.

12 THE COURT: I should accord you the same latitude that I
13 accorded the defense on this. Have you discussed which order
14 you would like to go?

15 MR. KOCHIS: I would go first.

16 THE COURT: Very well.

17 MR. KOCHIS: And with the Court's permission, I would
18 address the defendant's last argument first, his request for
19 additional discovery, which ties in also Mr. McGuigan's
20 comments about evidence tampering.

21 The statute entails some showing of good cause.
22 We've produced -- the People have produced numerous witnesses
23 at this trial and this hearing, and the sum total of that
24 testimony is that there has been no tampering. The testing of
25 the materials at DOJ Berkeley was conducted in a blind testing
26 fashion. We set out in some detail the fact that all the
27 testing was completed before the known reference samples got
28 to Berkeley. It's impossible for there to have been any

1 contamination in Berkeley.

2 Defense counsel talks about two of the pieces of
3 evidence and why there's good cause that there should be
4 testing. He talks about the cigarette butts taken from the
5 Ryen car, one of them, and he talks about the T-shirt.
6 Ironically, both of those items, both Trial Exhibit 169 and
7 V-12, which later became Trial Exhibit 584, I believe, have
8 remained in the continuous possession of the clerk in San
9 Diego, the exhibit clerk, since the trial ended in 1985. We
10 put on witnesses, the criminalist, to say they never came to
11 San Diego and looked at that material.

12 If Mr. McGuigan concedes that there was no
13 contamination in Berkeley, then he's either, by omission,
14 suggesting that there's contamination here in the
15 courthouse -- there's been no evidence of that -- or his
16 argument is that there was some very subtle, sophisticated
17 evidence tampering that took place in San Bernardino in 1983
18 and 1984. It was so sophisticated that it was done in a
19 fashion that it didn't allow the prosecution to take advantage
20 of it at the first trial.

21 It's absurd to suggest that someone would have
22 sprinkled small spatters and smears on a T-shirt in 1983,
23 that someone had a crystal ball and they could look into the
24 future and project that there would be STR PCR Profiler Plus
25 testing in 2001 and 2002 that would allow you to obtain those
26 results.

27 Mr. Gregonis has testified he never put any of
28 Mr. Cooper's blood on the T-shirt, he never put any of

1 Mr. Cooper's saliva on the cigarette butt. There's no
2 evidence before this Court whatsoever of any tampering, of any
3 contamination with any of those items, and the good cause
4 showing that's necessary has simply not been met.

5 I can't even conceive of an argument, I don't think
6 there is an argument, a logical argument, that would explain
7 how someone in 1983 or 1984 would have tampered with the
8 T-shirt or the cigarette butt in anticipation that it would
9 lay dormant for 20 years and then come to life and make the
10 prosecution case stronger. It's absurd.

11 Turning to the request for the mito testing and
12 focusing on (f)(4) and (f)(5), the standard, as everybody has
13 discussed, is the prima facie showing that the evidence they
14 want tested is material to the identity of Cooper or the
15 perpetrator of the crime.

16 What do we know about the hair? Mr. Myers and
17 Dr. Blake looked at the hair, and there's over a thousand
18 hairs. There are no clumps of human hair with roots attached.
19 That's the testimony at this hearing. The defense theory is
20 perhaps the victims grabbed hair out of the head of the
21 assailant. You would expect roots. There are no clumps of
22 human hair with roots.

23 The defense next argues that it could be significant
24 if you have hair on the hands of various victims that don't
25 come back to the victims, that don't come back to the killer,
26 but they come back to the same source.

27 You have that. You have dog hair and you have cat
28 hair that didn't come from Mr. Cooper, that didn't come from

1 the victims. It's on the hands of more than one victim. It's
2 in the hands of victims that are partially closed. And you
3 know from Mr. Stockwell every autopsy he's been to the
4 victim's hands have been partially closed, so there's no
5 unique significance to that.

6 Mr. McGuigan argues it is significant. How could
7 the hair get in the hands of victims that are partially closed
8 unless it had something to do with the killing? The dogs and
9 cats were not involved in the killing. They're not suspects,
10 and yet their hair is in the closed hands of the victims.
11 That shows that hair can get in the hands of the victims in
12 this scene, hair that has nothing whatsoever to do with the
13 identity of the killer.

14 Let's talk about the carpet and the condition of the
15 house. Ogino, Stockwell and Gregonis are scientists, they're
16 criminalists. They were all inside the house. The carpet was
17 filthy. It was dirty. There was all kinds of hair on the
18 carpet.

19 We know from Mr. Ogino and from Mr. Myers, two of
20 the criminalists that testified here, that hair can get to a
21 location, whether it's a crime scene, a number of different
22 ways. We shed hair. We can bring it to a room and it can be
23 deposited when we shed it. People shed hair on us. It can be
24 brought to the scene by people who move into a room and the
25 hair that other people have shed on them comes off. We know
26 that hair has history. It can stay at a scene for days, for
27 weeks and for months.

28 What do we know about the limits of mito testing?

1 Myers, Steve Myers, testified there is no search database for
2 mito testing. If you get a profile, you can't plug it in and
3 identify somebody. It's a process of exclusion.

4 So in this case, assuming for the sake of argument,
5 because this is argument, that there is hair that's not animal
6 hair that's in the victims' hands that doesn't come back to
7 the victims or Mr. Cooper, what does that tell us about the
8 identity of the killer?

9 As Steve Myers testified, you would have to have
10 head hair samples from everybody that was in that house weeks
11 and months prior to the killing -- the victim's family
12 members, Dr. Howell, the grandmother, the victims' friends,
13 the victims' neighbors, the victims' visitors, the emergency
14 personnel that entered the scene, the sheriff's department
15 personnel that entered the scene, everybody that entered the
16 scene before the victims were removed -- and you'd have to
17 profile them to exclude them. And then you would have perhaps
18 some unknown hair that would not necessarily point to a
19 killer. It could have been anybody that was ever in that
20 house for a legitimate purpose.

21 The fact that that consistent profile appeared in
22 the hand of more than one victim, that's not significant
23 because the animal hair appears on the hands of more than one
24 victim, and the animals were not involved in the killing.

25 The defense had a hair expert at the time of the
26 trial, Dr. John Thornton, and the evidence that was brought
27 out at this hearing by the Court's questions of Mr. Negus --
28 we didn't make an issue of chain. Ironically the defense has

1 made an issue of chain, and we have brought in all the people
2 that handled certain pieces of evidence on the law enforcement
3 side to lay the foundation for the chain going back 20 years
4 to cigarette butts that were taken in Long Beach.

5 Their expert had the hair for a period of time. I
6 have no idea what he did with it. I have no idea how many
7 people handled it. It would have been very easy to bring him
8 down to tie that up. The defense chose not to.

9 But what's more important and what was brought out
10 from the Court's questioning was Mr. Negus had a hair expert.
11 Mr. Negus did not ask any questions of that expert at the
12 trial regarding the hair on the victims' hands. Mr. Negus did
13 not raise any issue about the hair in the hands of the victims
14 even though he had a hair expert that examined the hair all
15 the way back in 1984. If there was a defense to be developed,
16 it would have been developed.

17 The defense also had an expert in this proceeding
18 that examined the hair, and ironically Dr. Edward Blake has
19 not testified that in his opinion mito testing would provide
20 any useful information. The prosecution did present an expert
21 who talked about the limits of mito testing and that was Steve
22 Myers, and he explained because of transference, because of
23 the history, how long a hair can stay, because of the
24 condition of the house, because of the people inside, that
25 there are too many variables for those results to provide
26 useful information.

27 Dr. Blake and Steve Myers washed the blood off the
28 hair in the victims' hands; and the blood from each hair, each

1 group of hair that came from the victims' hands, the profile
2 came back to that victim.

3 For all those reasons, we feel the prima facie
4 showing that's necessary under 1405(f)(4) has not been met.

5 Turning now to (5), the reasonable probability --
6 what the defense has to establish, the reasonable probability
7 that, in light of all the evidence, the defendant's sentence
8 or verdict would have been more favorable if DNA testing had
9 been available at that time.

10 Perhaps the California Supreme Court does not make
11 factual findings, but they did say in their opinion, I believe
12 on Page 836, that -- and I'm going to quote:

13 "On the other hand, the evidence of guilt was
14 extremely strong. Many items of circumstantial evidence
15 pointed to defendant's guilt. Some alone were quite
16 compelling; others less so. In combination, the evidence
17 established defendant's guilt overwhelmingly."

18 So in light of all the evidence, here's some of the
19 things that still exist: There was a very unique footwear
20 impression on the bottom of a tennis shoe that was
21 manufactured only for prison inmates. The evidence at trial
22 was that Mr. Cooper left the prison wearing those tennis
23 shoes.

24 That footwear impression, that Pro Keds footprint,
25 was found in the Lease house where he made phone calls from,
26 in dust in the game room, it was found in moisture on top of
27 the spa cover that led right into the master bedroom where the
28 Ryens were murdered, and it was found in blood on the sheet of

1 the bed that the Ryens were sleeping in when they were
2 attacked. An expert testified that that print was made by a
3 Pro Keds tennis shoe, and the size was the same size the
4 defendant wore.

5 There was also the hatchet, which numerous witnesses
6 identified as coming from the Lease house where the defendant
7 hid and controlled. That hatchet was consistent with the
8 hatchet that caused the wounds of all the victims.

9 There was also tobacco, prison-issued tobacco, and
10 there were experts that testified that prison-issued tobacco
11 was found in the closet in the Lease house where Mr. Cooper
12 slept and it was found on the floorboard of the Ryen car that
13 was stolen after they were murdered, and it was found in the
14 hand-rolled cigarette butt in the Ryen car.

15 There were also numerous other pieces of evidence
16 which linked Mr. Cooper to the crime, and the Supreme Court so
17 found.

18 But let's stop and now let's look at exactly what
19 (5) says. How would the evidence be different in light of the
20 fact that there is now DNA testing? And here's the landscape
21 today: At trial the prosecution argued that the drop of blood
22 taken from the hallway close to where Jessica was found, close
23 to the hallway that the boys later came down when they thought
24 Mr. Cooper was out of the house -- the prosecution argued that
25 was Mr. Cooper's blood. The defense argued there was
26 ambiguity in that result because of the EAP typing, and
27 obviously the jury decided differently. But Mr. Cooper argued
28 there was an ambiguity as to A-41 at the time of the trial.

1 There was no DNA at the time of trial.

2 Well, there is no ambiguity now. A-41 has been
3 retested with STR PCR Profiler Plus, and the results are the
4 victims could not have deposited that blood. It's consistent
5 with Mr. Cooper's profile, and the numbers are extremely high,
6 perhaps 1 in 310 billion African-Americans. The evidence is
7 hardly more favorable to Mr. Cooper.

8 What about the cigarette butts? The People argued
9 at the time of the trial that both the hand-rolled cigarette
10 butt, V-17 -- I'm sorry -- V-12 and the manufactured cigarette
11 butt, V-17, incriminated Mr. Cooper. The Ryens didn't smoke.
12 The hand-rolled cigarette butt had prison roll-right tobacco
13 in it. There was serological testing just on the absorption
14 inhibition level and we argued it was proof that a
15 nonsecretor's saliva was on that. Mr. Negus argued that that
16 was very ambiguous, that it really didn't show Mr. Cooper
17 deposited the saliva on the cigarette butts.

18 Well, there's been DNA testing and there's no
19 ambiguity now. That saliva could not have come from the
20 victims. The results of V-17, the manufactured cigarette
21 butt, are that the profile matches Mr. Cooper, and at random
22 it matches 1 out of every 19 billion African-Americans.
23 V-12 matches Mr. Cooper and matches 1 out of every 110
24 million African-Americans at random. That is not -- those are
25 not results more favorable to Mr. Cooper. They're very
26 incriminating.

27 The hatchet -- there's no ambiguity now about the
28 blood type on the hatchet. People that deposited the blood on

1 the hatchet -- Doug Ryen, Jessica, Chris Hughes -- that links
2 the hatchet as the murder weapon, links the hatchet to the
3 Lease house where Mr. Cooper hid, the house that Mr. Cooper
4 dominated from the time Kathy Bilbia moved out until the time
5 of the murders.

6 The T-shirt. The T-shirt was argued a couple ways
7 at trial. Mr. Negus argued it was proof that there were other
8 people involved in the killing. He argued it was proof some
9 people that were drinking at a bar some distance from the
10 scene committed the murders, and they had blood on the T-shirt
11 consistent with Doug Ryen.

12 We did do some ABO typing. The People argued in the
13 alternative it either wasn't involved in the crime scene or --
14 it was found along the side of the road, a road that led from
15 the Ryen house to the freeway. The freeway led to Long Beach.
16 Mr. Cooper had some blood on him that belonged to the victim.
17 He tossed the T-shirt out as he drove toward the freeway, just
18 as he tossed the hatchet out of the car on the way out of
19 Chino Hills, and it was found by the side of a paved road
20 leading from the victims' house to a road that eventually
21 connected to the freeway.

22 Well, there's certainly no ambiguity now on the
23 T-shirt. Mr. Cooper's blood is on the T-shirt. Doug Ryen's
24 blood is on the T-shirt. You have a garment that links the
25 defendant and the victim in time, in activity, bleeding, back
26 to the crime scene. We know from the T-shirt, Trial Exhibit
27 169, that Mr. Cooper and Doug Ryen were bleeding at the same
28 time and that their blood got on the same garment. Mr. Cooper

1 either was wearing it when he did the attack or he put it on
2 afterwards and was wiping blood up.

3 Now, ironically there was a portion of the T-shirt
4 that stayed in San Bernardino. CC stayed in San Bernardino
5 where Dan Gregonis and anybody else, any of the other 15
6 people, could have broken the seal, resealed it, and injected
7 Mr. Cooper's blood onto it, and guess what? No one found
8 Mr. Cooper's blood on CC. No one found Mr. Cooper's DNA on
9 the portion of the T-shirt that remained at the crime lab in
10 San Bernardino with Mr. Gregonis. If he was going to plant
11 something, certainly he had the opportunity and he didn't.
12 The blood was found on the T-shirt that remained in San Diego.

13 The defense argues that the way to avoid delay is to
14 go ahead and do the testing and you could do it in six months,
15 and the fastest way to move toward judgment is the testing.
16 It's an ironic argument to make, considering the history of
17 their request. Mr. McGuigan is correct. Years ago they were
18 interested in DNA testing. The People were not. They
19 solicited the help of the media, and the question asked is:
20 How could you not test the drop of blood in the hallway? How
21 could you not find out what the results are?

22 So there was an agreement and we did it, and look
23 what happened. We get motions regarding evidence tampering.
24 We get motions for an evidentiary hearing. We get motions for
25 EDTA testing. You want the testing so you can build a record
26 and expand the record and start making motions and allegations
27 as to how the evidence was handled, when the results point
28 back to Mr. Cooper.

1 Returning for a moment to (5), 1405(f)(5), you have
2 the overwhelming evidence of Mr. Cooper's guilt at trial that
3 was outlined in the California Supreme Court opinion, and now
4 we have what I would suggest is at least overwhelming evidence
5 of Mr. Cooper's guilt, if not beyond overwhelming evidence of
6 Mr. Cooper's guilt, that's been developed through the DNA
7 testing that's been agreed upon.

8 In light of that, our position is -- the People's
9 position is that the standard has not been met that there is a
10 reasonable probability that additional testing, in light of
11 all the evidence I referred to, would have resulted in a
12 verdict or a sentence more favorable to Mr. Cooper at the
13 time. The contrary is true. If this -- if these DNA results
14 were available at the time of the trial, the case would have
15 been even stronger than it was.

16 For those reasons we would ask the Court to deny the
17 request for EDTA testing, deny the request for additional DNA
18 testing. Thank you.

19 THE COURT: Thank you.

20 How about giving her ten minutes and then I'll hear
21 from you, Mr. Millar. Is that all right?

22 MR. MILLAR: Certainly.

23 THE COURT: We'll be in recess for ten minutes.

24 (At 10:33 a.m. a recess was taken until 10:45 a.m.)

25 THE COURT: Mr. Millar?

26 MR. MILLAR: Thank you, your Honor. I will try, of
27 course, to not duplicate things that Mr. Kochis has addressed.

28 The first thing that I wanted to do before

1 addressing our substantive affirmative argument is to address
2 a few of the things that were raised in the arguments of
3 Mr. McGuigan and Mr. Bernstein. Some of these, I should note
4 in passing, are arguments that they have raised in recent U.S.
5 Supreme Court filings, which I have responded to there.

6 One of the things that was raised is the assertion
7 that the California Supreme Court did not actually make a
8 finding -- did not actually make a finding that the evidence
9 was overwhelming. Well, I would agree that appellate courts
10 don't necessarily make the same kind of findings that trial
11 courts make. However, that was not a passing statement in
12 there.

13 If your Honor reads the opinion, that statement was
14 made in the course of addressing whether the admission of a
15 certain exhibit constituted harmless error or was prejudicial.
16 And that statement, that conclusion that the evidence was
17 overwhelming was made in the context of the California Supreme
18 Court's conclusion that the error -- or any error in that
19 regard was harmless. It was not dictum. It was not a passing
20 observation made for no purpose. It was relevant to the
21 decision and to the resolution of that particular issue.

22 Now, with respect to the length of the jury
23 deliberations --

24 THE COURT: Well, it had to do with an exhibit, did it
25 not, that was erroneously given to the jury?

26 MR. MILLAR: It inadvertently came into evidence. There
27 were over 700 exhibits. That one slipped in, and on appeal it
28 was -- it was an issue that was raised, was the defendant

1 prejudiced. That exhibit related to the preliminary hearing
2 in Los Angeles on the prior convictions, and the counsel in
3 that earlier case had made statements relating to 1368 and was
4 Mr. Cooper having problems, et cetera, et cetera.

5 That exhibit had been marked for identification, but
6 it was not supposed to have come into evidence. It did come
7 into evidence because there were blocks of exhibits that were
8 introduced. They didn't go through them all one by one, and
9 this was part of a block of exhibits which defense counsel had
10 offered, and nobody was thinking at the time, oh, that exhibit
11 is in there.

12 But the point is that the California Supreme Court,
13 in addressing that claim of error, concluded that any error --
14 first of all, they said there was no jury misconduct because
15 the jury hadn't done anything wrong in admitting that exhibit.
16 They said to the extent there was any error in the inadvertent
17 admission of that exhibit into evidence, it was harmless
18 error. And in reaching that conclusion, a significant part
19 of their reason for that is they said the evidence of
20 Mr. Cooper's guilt was overwhelming, and on the other side of
21 the coin that particular exhibit was not of, you know,
22 particular significance.

23 So that conclusion -- if you don't want to call it a
24 finding, that conclusion that the evidence of guilt was
25 overwhelming was made in the context of the California Supreme
26 Court's rejection of a claim of error and its conclusion that
27 any error in that regard was harmless, not prejudicial. So it
28 was not a passing comment. It had significance to the

1 opinion. It was a carefully considered conclusion that the
2 court reached in disposing of that claim of error.

3 Now, another suggestion that was made or issue that
4 was raised was about the length of the jury deliberations.
5 The California Supreme Court did not attach the same
6 significance to the length of jury deliberations that
7 Mr. McGuigan has done here. On -- I think it was the same
8 page, but it's 736 to 737, in that range. I don't have the --
9 no. It would be -- excuse me -- 837.

10 Okay. The California Supreme Court said, quote:
11 "Defendant argues that the jury deliberated for 27 hours
12 over 7 court days, thus showing it considered the issue
13 of guilt to be close."

14 And then they discuss, well, exactly how long it
15 was, what were the actual deliberations, and discuss that.
16 And then they said:

17 "The trial lasted over three months. Dozens of
18 witnesses testified, some about complex scientific
19 testing. Well over 700 exhibits were admitted into
20 evidence. This was a capital case. It is not surprising
21 that the deliberations were protracted. Even accepting
22 defendant's time estimate, the length of the
23 deliberations demonstrates nothing more than that the
24 jury was conscientious in its performance of high civic
25 duty."

26 That was the conclusion of the California Supreme
27 Court. Whether we call it a finding or a conclusion, that was
28 the California Supreme Court's conclusion on that point.

1 It's been argued that -- or the question has been
2 raised, why would Mr. Cooper steal the Ryen vehicle and then
3 abandon it in Long Beach? Well, actually, the inference to be
4 drawn is entirely unfavorable to Mr. Cooper. If their
5 argument is that this was a mere thief who stole the car, why
6 would a mere thief murder the family, steal the car, and then
7 abandon the car if the motive is monetary gain, abandon the
8 car in Long Beach, in Long Beach, a long distance away?

9 Now, contrast that with Mr. Cooper. Mr. Cooper
10 doesn't need to sell the car. He doesn't need the money from
11 the car like a car thief would. He needs transportation out
12 of the area. Once the transportation out of the area has
13 served its purpose, Cooper can abandon the car in Long Beach.
14 It's Mr. Cooper who has escaped from Chino and is the subject
15 of a massive manhunt, literally the largest manhunt in the
16 history of California up to that point in time. He knows
17 that.

18 He testified at trial that when he was hiding out, in
19 the Lease house and he's in that bedroom, he's keeping the --
20 trying to keep from emitting too much light from the bedroom.
21 He's got a little TV that he's watching in there, et cetera,
22 et cetera. He knows that he's the subject of a massive
23 manhunt.

24 There were telephone calls and evidence introduced
25 at trial that Mr. Cooper made telephone calls to two female
26 friends of his in an effort to do what? Get out of the area.
27 He is trapped in that house. He has to get out of that house.
28 He has to get away. He calls two people. They say, "No,

1 you're not getting help from us." That's all evidence at
2 trial. It's discussed in the California Supreme Court's
3 opinion.

4 What's the point? Mr. Cooper -- and by the way, the
5 last of those calls the California Supreme Court noted was
6 made -- I believe it was around an hour or so before the
7 family -- the victims' family comes back from the barbecue,
8 and then the murders take place shortly thereafter.

9 Mr. Cooper knows, I'm not getting any help getting
10 out of here. I'm not getting away from this massive manhunt.
11 And he knows one other thing too which is very important.
12 He's been in that hideout house for about two and a half days,
13 okay? When he first takes refuge in that house, the Lease
14 hideout house, it appears to be, you know, semi-abandoned.
15 There's no one occupying the house at that time.

16 Kathleen Bilbia, who had been there, was a ranch
17 hand. She had vacated that house and that bedroom, actually,
18 that Cooper took refuge in only a matter of a couple days
19 earlier and she had scrubbed it down and all of this, and that
20 was all in the record at trial.

21 But here's the point: Cooper's there for two and a
22 half days. Why doesn't he stay there indefinitely? He's got
23 this safe place. Why doesn't he stay there indefinitely until
24 this massive manhunt dissolves? Well, something happens on
25 the afternoon that the murders take place, that night, and
26 that is Virginia Lang, who is the wife of one of the owners of
27 that Lease hideout house, she comes back to the hideout
28 house. What for? She's only there for about two minutes to

1 get a sweater. She comes in, she leaves. Fortunately for
2 her, she doesn't confront Cooper, okay, or we might have
3 another dead body.

4 But here's the point, here's the point: Once she
5 comes in that house Cooper knows, if he ever thought he could,
6 he can't stay there forever. He can't just sit and wait this
7 out. He has to do something. He's not getting help from his
8 girlfriends. He's not getting help getting out of there. He
9 needs transportation.

10 A mere thief doesn't need transportation that
11 they're going to abandon in Long Beach. A car thief who wants
12 to get money from the car is going to steal the car, sell it.
13 They're going to take it to a chop shop, whatever. They're
14 not going to steal it, drive it all the way to Long Beach,
15 which, by the way, is the area where Mr. Cooper is from. His
16 prior burglaries were in L.A. County. That's why he's in
17 Chino, from where he escapes. He's from there.

18 A mere car thief, they're going to drive the car to
19 Long Beach and then just abandon it? No. That makes no
20 sense. It makes a lot of sense for somebody like Kevin
21 Cooper, who is an escapee from CIM, who needs transportation
22 to get away from that massive manhunt, who needs to get to
23 Long Beach so he can then make his way to Mexico. That's not
24 a fact which casts any doubt on the guilt of Mr. Cooper. It's
25 just the opposite.

26 An issue was raised that -- how could one person
27 like Kevin Cooper have killed all of these victims, and that
28 -- the suggestion was made that Dr. Root, who did the

1 autopsies, supported that conclusion.

2 Well, at the preliminary hearing he discussed that
3 and he said that initially he was -- he had that concern, that
4 question, okay? However, at trial -- what counsel has
5 neglected to mention is at trial Dr. Root testified that the
6 injuries that were inflicted on each one of those victims,
7 taking each of them separately for a moment, could have been
8 inflicted in less than a minute, less than one minute for each
9 one.

10 He also testified at trial that a single hatchet
11 wound to the head -- and I don't know that you need an autopsy
12 or a pathologist to testify to this. I think it would be a
13 matter of common knowledge. A single hatchet wound to the
14 head of any of those victims would incapacitate them. It
15 would either render them unconscious or they'd be seeing stars
16 and it would incapacitate them. They would be no match for
17 Kevin Cooper, who the trial evidence established was
18 ambidextrous, who was armed with a hatchet in one hand and a
19 Buck knife in the other. A single wound, okay?

20 And remember too, the victims are not all huddled
21 together in the bedroom trying to figure out how they're going
22 to defend themselves against this attack by Kevin Cooper.
23 That isn't how it happened. That isn't how it happened.
24 Mr. and Mrs. Ryen are in the bedroom, the master bedroom.
25 They are either asleep or falling asleep, okay? They are in
26 bed. It's nighttime. It's dark. They are not expecting to
27 be attacked in what the California Supreme Court said was the
28 sanctity of the Ryen home. They're not expecting that.

1 Okay. They are attacked. Cooper can take them out
2 with literally a couple of blows to each one, less than a
3 minute for each one, a single blow incapacitating each one,
4 okay?

5 What about the three children? The three children
6 aren't initially in the master bedroom. They are in another
7 part of the house. They're sleeping. They apparently hear
8 sounds, screams, et cetera. Josh Ryen said in some of his
9 statements this wakes them up, okay? They go down the hall
10 one after the other, a dark hallway, one after the other.
11 Apparently Jessica is first because when Josh comes down the
12 hall he sees Jessica's body, okay?

13 They come down the hall one after the other,
14 apparently Jessica first, and then Chris Hughes, and then
15 Josh -- saying, "Where's Josh, where's Josh?" Okay. Dark
16 hallway. They're not going to be any match for Kevin Cooper,
17 who has already taken out mom and dad, and the kids come down
18 this dark hallway one after the other.

19 Okay. The argument that the hair must have been
20 seized because it was considered useful, had value. Well, we
21 all know criminalists seize a lot of things and they're hoping
22 that they have value, okay? But consider this, okay? Yeah,
23 if the hair had been found to match Kevin Cooper, would it
24 have had value? You bet, of course. It's like a lot of
25 evidence, okay? But the evidence at trial -- and it was in
26 all of the sheriff's department records -- is that evidence
27 didn't match Kevin Cooper. We don't need mito testing to
28 establish that fact, okay?

1 Well, but what about the argument that if it shows
2 it was the real killer, it wasn't Kevin Cooper, what about
3 that, okay? That goes back to the testimony of Mr. Myers.
4 You're talking about apples and oranges when you're talking
5 about including somebody by getting positive results, and
6 excluding, okay? What the defense wants to do in this case is
7 to exclude the victims and Mr. Cooper, and then say the
8 conclusion follows that it must be the real killer.

9 But what did Mr. Myers testify? It's not quite that
10 simple. It doesn't tell you who the real killer is. What it
11 tells you is you've got a hair or hairs that you can establish
12 through mito testing to be sure don't match Mr. Cooper. We
13 already know that. We knew that at the time of trial. It
14 doesn't match the victims. So what? In effect, that's what
15 Mr. Myers has testified. So what?

16 Why? Because those hairs can get there in any
17 number of ways. How, as Mr. Kochis pointed out, did the dog
18 -- the animal hair, the dog hair and the cat hair get there,
19 okay? They weren't the killers. How did their hairs get in
20 the hands? So what?

21 In fact, I thought it was very interesting that when
22 Mr. Myers testified, he said not only wouldn't he be surprised
23 to find hairs that didn't match the victims and Kevin Cooper,
24 given the condition of the house and all of the circumstances
25 of the crime, he said he would be surprised if -- what? If
26 you didn't come up with hairs like that.

27 Now, what does that tell this Court? So what?
28 That's what you would expect, given the condition of the house

1 and given all of the circumstances of the crime. So what is
2 mito testing going to tell us? So what?

3 As Mr. Kochis pointed out, without being able to
4 match up any hairs that don't match the victims or Kevin
5 Cooper, without having a database where you match that up,
6 it's not going to tell you who the real killer is. It's not
7 going to tell you anything.

8 It's not going to tell you that it wasn't a
9 repairman, friend, relative, postman, FedEx man, veterinarian
10 -- they raised horses there -- friends, relatives, visitors.
11 It's not going to tell you that none of those was there. It's
12 not going to tell you it wasn't one or more of the law
13 enforcement people that were there before the bodies were
14 moved. It's not going to tell you that. And 20 years later
15 there is no way in God's creation that you're ever going to be
16 able to prove that.

17 That's fine, of course, for the defense, okay?
18 That's fine. They're happy just simply saying, "We've got a
19 hair or hairs that don't match Mr. Cooper or the victims. It
20 must be the real killer. It's up to the prosecution to figure
21 out, of course, who the real killer is." You can't do it and
22 it's not the real killer, okay? It could be anybody.

23 I thought the example that, again, Mr. Myers used
24 was extremely telling. Remember when he testified about the
25 single blond hair on his coat and he said, "Hey, it's not my
26 wife's hair. She's black. It's not my hair. Take a look at
27 my hair," he points out. Okay. Now, why don't we just do
28 mito testing on that hair, Mr. Myers, and we'll be able to

1 prove to your wife who it was so we can convince her it's not
2 some mistress of yours or something, okay? Who are you gonna
3 get for hair samples?

4 Now, here's somebody in current time, not 20 years
5 ago, okay? Was it somebody from the lab where he works?
6 Well, why don't we just get samples from all of them, okay?
7 Well, maybe it wasn't somebody from the lab. What if he's
8 walking down the street and he brushes up against somebody or
9 a hair falls out of the air or whatever.

10 The point is if you can't do that, if you can't do
11 mito testing on that single blond hair on his coat and find
12 out whose hair it is, how can you possibly do mito testing on
13 the hairs in this case and say, oh, well, we've got a stray
14 hair or two that don't match, and therefore what? Mr. Cooper
15 didn't commit the crimes?

16 And what happens to all the other evidence in the
17 case, by the way, if you get a result, you get a stray hair
18 that doesn't match? Does all the other evidence in the case
19 just magically disappear, the overwhelming evidence of guilt
20 that the California Supreme Court had found at trial before
21 DNA testing? All of the DNA tests which thoroughly
22 incriminate Mr. Cooper and show that the result of the trial
23 was right? That evidence doesn't go away.

24 When you put a result like that up against that
25 evidence, what do you say? Hey, there's stray hairs all over,
26 okay? And anybody who saw the condition of that house and the
27 condition of that bedroom would tell you the same thing.
28 There are stray hairs everywhere.

1 And don't be confused by the argument, yeah, but
2 they weren't in the hands. What about the dog and cat hairs
3 in the hands? They weren't the real killers. How did their
4 hairs get in the hands of the victims, and not just one
5 victim, more than one victim? And what about the fact
6 Mr. Myers testified that a lot of these hairs are cut hairs,
7 okay? How do you get cut hairs?

8 Well, all of these victims had horrific hatchet
9 wounds to their heads. That's how you get cut hairs. If
10 their theory had merit we ought to have clumps of what? Hairs
11 with roots and with that material attached to them that
12 Mr. Myers testified about. I forget the exact wording that he
13 used.

14 What did he testify? You don't have that here. You
15 don't have evidence supporting their theory here. Could you
16 in another case? Maybe. That's not this case. In this case
17 you've got animal hairs, you've got cut hairs. You do not
18 have in the hands of any of the victims a clump of hair with
19 roots and with the material attached to it that you would
20 expect if their theory had any merit.

21 And, of course, again I want to emphasize to the
22 Court, consider just the total illogic of their theory to
23 begin with. Their theory is that all four of the murder
24 victims, all four of them -- Doug Ryen, Peggy Ryen, Jessica
25 Ryen, and Chris Hughes -- all four of them, including two
26 children, two children in the dark of night, somehow get
27 really lucky and manage to do what? All four of them manage
28 to pull a clump of hair from the head of their

1 hatchet-wielding attacker's head, all four of them.

2 Now, that is a rather amazing coincidence that they
3 require in order to support their theory to begin with. On
4 its face it's ludicrous. It's absurd. Chris Hughes grabs a
5 clump of hair in the dark as he's walking down this hallway,
6 not expecting to be attacked? He gets attacked presumably
7 like Josh Ryen said, he was attacked from behind, okay, which
8 is what you would expect from an attacker.

9 He doesn't walk out and say, "Hi, I'm Kevin Cooper,"
10 and hit him in the head with the hatchet. He's hiding in the
11 hallway and he takes them out one after another. How is it
12 they're going to be able to pull a clump of hair from the head
13 of the real murderer? It never happened, okay? And we know
14 that because Mr. Myers said they don't have clumps of hairs in
15 their hands which are human hairs pulled from somebody's
16 head. That's not the evidence. Mito testing isn't going to
17 do any good whatsoever here.

18 Now, as Mr. Kochis indicated, I found just amazing
19 the argument about the delay, the delay in this case. That's
20 quite interesting. The crimes occurred -- we passed the
21 20-year anniversary of the commission of these crimes in June
22 of 1983, okay? The intervening 20 years have been based upon
23 various petitions, motions, claims made by Mr. Cooper.

24 With respect to the DNA testing here, we agree to do
25 DNA testing. We do the DNA testing. They want more DNA
26 testing. They want to challenge the results. They have no
27 evidence to attack the results.

28 And in that regard, again, where is Dr. Blake?

1 Where is Dr. Blake? He is their DNA expert. Not only is he
2 their DNA expert now, he was their serologist at trial. He
3 had the opportunity to see all of this evidence at trial,
4 okay? He had the opportunity to see it. Where is Dr. Blake
5 testifying, "Oh, I think there was contamination here. It
6 doesn't look the same to me as it did at trial." Where is
7 that testimony by Dr. Blake? He's not here. He didn't
8 testify to that. Where is the testimony from Dr. Blake that
9 our lab did not appropriately test the evidence, okay?
10 There's no evidence like that here. They haven't produced
11 that.

12 In the Court's order setting this matter for hearing
13 the Court said:

14 "This ruling is not predicated on a finding that the
15 defendant has met the 'reasonable probability' burden
16 argued at the hearing, as the court opines that such a
17 determination would be premature. However, the defendant
18 will be required to make the 'reasonable probability'
19 showing, along with meeting the other requirements of
20 Penal Code section 1405(f)" -- as in Frank -- "(1)
21 through (8) at the hearing."

22 They've had their opportunity. This Court has
23 afforded them their opportunity to make that showing. They
24 haven't done it. Dr. Blake hasn't been here. What about the
25 chain of custody requirement in (f)(2)? As Mr. Kochis
26 pointed out, where is -- where is Dr. Thornton? Where is
27 Mr. Espinoza?

28 Where is the testimony to establish that that hair

1 that they want mito testing on is in the same condition it was
2 at the time of trial and has not been tampered with or
3 altered? Where is that testimony? It's not here. The Court
4 did not get that testimony from trial counsel, Mr. Negus. He
5 didn't -- he testified about the hair, but he certainly didn't
6 testify in a manner which would allow this Court to make that
7 finding.

8 Under 1405(f) it says, "The court shall grant the
9 motion for DNA testing if it determines all of the following
10 have been established," not one, not two, not some, not maybe,
11 "if all of the following have been established." They didn't
12 establish that, the chain of custody, and neither have they
13 established the requirements in subsection (4). It's not
14 material. Mr. Myers has explained why it's not material.

15 The question of whether you can do mito testing on
16 hair is not the relevant question. Of course you can. The
17 question is should you. How do we answer the question should
18 you? We have the statute. The statute says you should if you
19 meet all of those statutory requirements. Can you do it?
20 That's not the question under the statute. The statute
21 doesn't say if the defendant wants testing on something and
22 the DNA testing that they propose can be done, by golly, it
23 should be done. The statute doesn't say that.

24 The statute imposes a "reasonable probability"
25 requirement, and in our opposition papers we spent
26 considerable time and effort -- and there was a reason -- to
27 explain to the Court what "reasonable probability" means.
28 This was not an inadvertent piece of language which crept into

1 the statute. That was the advertent language that the
2 Legislature chose as the statutory standard. It has a lengthy
3 history in the cases.

4 Strickland says -- that's Strickland,
5 S-t-r-i-c-k-l-a-n-d, versus Washington, a leading case, as the
6 Court well knows, on ineffective assistance of counsel --
7 "A reasonable probability," and I quote, "is a probability
8 sufficient to undermine confidence in the outcome," meaning
9 the outcome of the trial, and that's Strickland at Page 687.
10 "A reasonable probability is a probability sufficient to
11 undermine confidence in the outcome."

12 I have no doubt that the trial judge had confidence
13 in the outcome before DNA testing. Does this Court have
14 confidence in the outcome of the trial? I certainly hope so.
15 I think, based on all of the evidence at trial, the conclusion
16 of the California Supreme Court, the result of the DNA
17 testing -- which they've had an opportunity to produce
18 evidence that this Court should not rely upon as valid testing
19 and they haven't done that -- this Court should have
20 absolutely no doubt whatsoever in the outcome of the trial, no
21 doubt whatsoever.

22 But that's what a reasonable probability is designed
23 to test. And in Strickland the court went on and talked about
24 ineffective assistance of counsel and the reasonable
25 probability requirement as meaning there have to be errors,
26 quote, "so serious as to deprive the defendant of a fair
27 trial, a trial whose result is reliable." That's what we're
28 talking about here. Do we need to do DNA testing in order to

1 determine that the result of the trial was reliable? We
2 don't. Obviously, we don't.

3 In other cases that we cited, in Wood versus
4 Bartholomew -- W-o-o-d versus Bartholomew,
5 B-a-r-t-h-o-l-o-m-e-w -- the Supreme Court emphasized,
6 concerning Brady violations -- and Brady is an analogous
7 context because it says, hey, you didn't have all the evidence
8 there, did you? The prosecutor withheld critical evidence,
9 okay? Which we don't have here, but they said -- in Brady
10 they say they reversed the Court of Appeals because its
11 judgment finding a Brady violation was, quote, "based on mere
12 speculation, in violation of the standards we have
13 established," the standard being reasonable probability. Mere
14 speculation, they said, is not reasonable probability.

15 If it were, you would get virtually every case
16 reversed because you can always speculate. If the defense
17 can't come up with some kind of speculation, they're not very
18 good defense attorneys. Speculation is not the standard.
19 Reasonable probability is. The United States Supreme Court
20 has repeatedly emphasized that.

21 The California Supreme Court has done the same thing
22 in People versus Watson, which is the leading case on harmless
23 error analysis, 46 Cal.2d 818 at Page 837, which again adopted
24 a reasonable probability standard to determine whether error
25 was prejudicial on appeal. The California Supreme Court
26 emphasized that the test of prejudicial error, quote, "must
27 necessarily be based upon reasonable probabilities rather than
28 upon mere possibilities." Why? They said in the next breath,

1 "Otherwise, the entire purpose of the constitutional provision
2 would be defeated." That's 46 Cal.2d at Page 837.

3 Watson is designed to protect against miscarriages
4 of justice. It's an interpretation of what is now Article 6,
5 section 13 of the California Constitution. What is 1405
6 designed to do? To protect against miscarriages of justice in
7 situations where, what? We cannot rely upon the result of the
8 trial. We need more testing.

9 The question ultimately before this Court is when is
10 enough enough. The California Supreme Court said we had
11 overwhelming evidence of guilt at trial. We now have the DNA
12 test results, which remove any alleged doubt whatsoever. The
13 defendant's argument was, "Please, please, do some DNA testing
14 and we'll prove that Mr. Cooper's not guilty." It proved
15 exactly the opposite. The testing has incriminated him at
16 every turn.

17 They've said, "Oh, yeah, but there's probably
18 contamination, tampering." This Court has afforded the
19 defense an opportunity to produce the evidence of what they
20 claim was contamination and tampering. There was absolutely
21 no such evidence that I heard.

22 Yes, they raised some additional possibilities.
23 We've got 15 or 20 other criminalists. So apparently now it's
24 not Mr. Gregonis who tampered with the evidence or
25 contaminated the evidence. They can't prove that. So what do
26 they say? "Oh, you didn't bring in the other 15 or 20
27 criminalists." Presumably they were doing his dirty work for
28 him, for some reason that is unknown. There is no evidence of

1 that.

2 And what about the evidence that's remained here in
3 the San Diego Superior Court evidence room under the control
4 of the court's own officers, the evidence clerk, Mr. Nicks,
5 who came in and testified? Mr. Gregonis has had no access to
6 that evidence since the time of trial, no access to that
7 evidence.

8 How does the blood get on that T-shirt, okay,
9 Mr. Cooper's blood? How does it get on there? They haven't
10 got an explanation for that. Has your Honor seen in their
11 papers any point in time where they've come up with a specific
12 theory as to how that blood got on that T-shirt? They can't
13 do it. They can't explain the fact that that T-shirt has been
14 under the custody and control of the evidence clerk here.
15 They can't do it and they haven't done it, and they've had a
16 hearing to be allowed to do it if they could do it.

17 I have just a couple more comments, and then I will
18 be done.

19 We have talked about -- in our opposition to their
20 request for EDTA testing, I want to emphasize that the basic
21 point is you only do EDTA testing where you have evidence
22 which supports the conclusion that maybe there's been
23 contamination or tampering. If this Court concludes there's
24 been no such evidence, their theories are absurd and
25 ridiculous, why do you need to do EDTA testing?

26 I know why they want it done. It's in their
27 interest to have this case continue on indefinitely, into the
28 22nd century, okay? I understand why they would want more

1 testing. I understand why they would want EDTA testing,
2 okay? But the question this Court has to ask itself is: When
3 is enough enough? They can ask for testing on other items of
4 evidence, if they don't like the results on the mitochondrial
5 testing.

6 If you look just at the blood evidence in this case
7 that hasn't been tested, there was blood all over the room.
8 The photographs at trial show there was blood all over that
9 room, arterial blood squirting out from Doug Ryen on the walls
10 and stuff. How do we know -- how do we know that unless we
11 tested every drop of that blood? Maybe the real killer's
12 blood is hidden in there somewhere. We'd be here until the
13 cows come home if we do that.

14 This case will never have an end if that's the
15 standard, but it's not the standard. Why? Because the
16 statute says: Is there a reasonable probability if we did
17 that, just like if there's a reasonable probability if we did
18 mito testing on the hair, that it's going to tell us anything
19 of value? Steve Myers said it's not going to do that. It's
20 not going to do that. Can it be done? Yes. Should it be
21 done? No. Why? There's no reasonable probability it's going
22 to produce anything of value.

23 Just one additional thing concerning the T-shirt. I
24 thought it was quite interesting because Mr. Myers testified
25 about this. Mr. Kochis alluded to the fact that Mr. Gregonis
26 would apparently have to have been very, very sophisticated
27 and clairvoyant to think, well, let's see, I don't want to
28 sprinkle blood on the T-shirt and then have it tested at the

1 time of trial and say it's Cooper's, okay? What I'm going to
2 do is I'm going to sprinkle this on, and I'm thinking down the
3 road here -- there's no DNA testing now, but in several years
4 there could be DNA testing, and 20 years later they may do DNA
5 testing and somebody will find the blood on the T-shirt.

6 What did Mr. Myers tell you about how that blood on
7 the T-shirt was found? Did he say Mr. Gregonis called up and
8 said, "Oh, be sure and test the T-shirt. You're going to find
9 Mr. Cooper's blood on there"? Did our lab say, "Oh, we think
10 that" -- it was Dr. Blake who suggested doing that testing,
11 their expert. That's why those spatters were found. He said,
12 "You know, I think I see some faint smears on here. I want
13 that tested."

14 Remember, Mr. Myers said he was almost adamant. He
15 virtually insisted on that. That's their own expert. This
16 wasn't some concoction of Mr. Gregonis. It wasn't even the
17 initiative of our lab. It was their own expert who said, "I
18 want that tested." It was tested. What does it come back?
19 That's more of Mr. Cooper's blood.

20 Now, with respect to Dr. Thornton, I anticipate that
21 one of the things that the defense will argue in that regard
22 is, "Ah, yes, but Dr. Thornton at the trial, all he could do
23 was microscopic examination of the hair. He didn't have mito
24 testing available." That's true, okay? So what's the
25 relevance of that?

26 The relevance of that is this: As Mr. Kochis
27 argued, trial counsel, who left no stone unturned in those 107
28 volumes of trial transcripts in this case, okay, did not make

1 any issue about the hair evidence at trial. Why? Because
2 Mr. Thornton's examination didn't give him any reason to
3 believe that that hair came from anybody other than the
4 victims or Mr. Cooper, or that if it did, it's going to be of
5 any value, stray hairs, et cetera, et cetera. There was no
6 issue made at trial.

7 But now we've got mito testing, the argument will be
8 made, okay? But what's the relevance? The Court has to find
9 a reasonable probability that because we have mito testing we
10 should do it; because we can do it, we should do it.

11 The fact that there was no issue raised at trial
12 should suggest that there's no reasonable probability that
13 because we have another test available now, we're going to
14 come up with anything different than what? Than presumably
15 what Dr. Thornton told trial counsel. It's pointless. It's
16 pointless.

17 There's no reasonable probability that even though
18 we now have mito testing that we didn't have at that time,
19 that trial counsel didn't have -- there's no reasonable
20 probability that we're going to reach any different result
21 than what trial counsel had available to him through
22 Dr. Thornton.

23 And again, we haven't heard from Dr. Thornton what
24 the results of his examination were, or Mr. Espinoza. We
25 haven't even heard about the chain of evidence, okay? We
26 haven't heard about any of that. We haven't heard from
27 Dr. Blake casting any doubt on that, suggesting that there was
28 any contamination, none of that, none of that.

1 They've had their hearing. This Court gave them
2 that opportunity. It is their burden. The statute sets forth
3 specific requirements. They cannot meet the chain of custody
4 requirement. They can't get to first base. They can't show
5 chain of custody even. They can't get to first base. They
6 can't show (4), that it's material, for the reasons that
7 Mr. Myers testified. They can't show (5), that there is a
8 reasonable probability.

9 There are at least three requirements under the
10 statute and they have to satisfy every one of them, and it is
11 their burden. They've had the hearing. They've had the
12 opportunity. They just don't have the evidence. I submit it.

13 THE COURT: Thank you, Mr. Millar.

14 MR. BERNSTEIN: Your Honor, we were going to reverse the
15 order for our rebuttal if that's permissible.

16 THE COURT: Any objection to that?

17 MR. KOCHIS: No.

18 THE COURT: Go ahead.

19 MR. BERNSTEIN: First, I think that the People have
20 misrepresented some of our arguments at this hearing. With
21 regard to the cigarettes, we've never suggested that any
22 saliva was planted on the cigarettes. What we suggested is
23 that cigarettes that Kevin Cooper smoked and are unaccounted
24 for in the Lease house could have been planted in the station
25 wagon.

26 As regard to -- with regard to the blood on A-41 and
27 on the T-shirt, there's no question that that's Mr. Cooper's
28 blood. The question is how did it get there. So all of the

1 argument in the world that, yes, this proves it's Mr. Cooper's
2 blood doesn't respond to what we have to say.

3 And as for Mr. Gregonis and the EAP matter, perhaps
4 at trial that was used to try to impeach his results because
5 he was asked, "Well, if your result was correct, then it
6 couldn't be Mr. Cooper, right?" Which he was forced to
7 admit. But the purpose of our bringing that up at this
8 hearing is simply to reflect on Mr. Gregonis's credibility.
9 Apparently he's willing to embellish his testimony beyond what
10 his findings are. He was willing to do it in this case at
11 trial, and that's the only reason we bring that up.

12 With regard to the People's characterization of the
13 evidence at trial, it's not nearly as unambiguous as they
14 claim. When Mr. Cooper was in the Lease house he called his
15 girlfriend in Pennsylvania asking for money, wanted money sent
16 to him, but there was no way she could send to it him because
17 where would she send it? Assuming even that Mr. Cooper
18 somehow could pick it up, that there was a Western Union out
19 here, he didn't know where he was. He couldn't tell her where
20 to send it.

21 His primary need, at least in his own mind, was
22 money. Yet we know from testimony at the trial that there was
23 cash lying around in the Ryen house in plain view that was
24 never taken. He must have seen it if it was him because some
25 of the cash lying on the counter in the kitchen was
26 illuminated by the refrigerator door when the refrigerator
27 door was opened, and there was victims' blood inside the
28 refrigerator. So somebody involved in the killings opened

1 that refrigerator door, saw that money, must have seen it,
2 didn't take it.

3 On a stool right next to the money was Peg Ryen's
4 purse which, from the testimony, had a good deal of cash in
5 it. No one examined that purse. No one took any money out of
6 that purse. There was more cash in Doug Ryen's pants, which
7 were lying in the bedroom. Nobody examined those.

8 The prosecution didn't suggest any motive for the
9 crimes at the time of trial. The California Supreme Court
10 came up with the idea that the obvious motive was to steal the
11 car. It wasn't obvious enough for the trial prosecutors to
12 figure it out if that was the motive.

13 The testimony was uncontradicted that the car keys
14 were in the car at the time. He didn't need to go in the
15 house at all to steal the car. And even if he didn't see the
16 keys, there was no evidence from the crime scene photos that
17 there was any ransacking going on when the murders happened.
18 Somebody took a beer. That's the only evidence. The drawers
19 remained closed. There was no evidence that anyone searched
20 for the keys inside the car.

21 The evidence also established there were two loaded
22 guns in that bedroom about five feet from where Mr. and Mrs.
23 Ryen were sleeping. If Kevin Cooper alone was attacking them,
24 it would seem the other adult, the one not attacked first,
25 could make an attempt to get those guns. There was no attempt
26 to get the guns. So there are questions about the evidence.

27 Mr. Kochis suggested where the T-shirt was found is
28 incriminating to Kevin Cooper because it was right near a

1 freeway that led directly to Long Beach. Obviously, that
2 freeway leads to a lot of places, not just Long Beach. If
3 Mr. Cooper took the car, he was less than two hours from the
4 Mexican border. Why wouldn't he drive it across the border
5 himself rather than take it to Long Beach and risk additional
6 time before the bodies are discovered in the United States?

7 There are reasons to doubt this evidence. Most of
8 the case relies on -- it relies on scientific evidence and the
9 circumstantial fact that Mr. Cooper was in the Lease house
10 beforehand. Well, yes, he was in the Lease house beforehand.
11 He admitted that himself. That wouldn't be enough. It's
12 A-41, these cigarettes. These are the things that
13 incriminated Mr. Cooper more than anything else, which leads
14 me back to EDTA testing.

15 We don't know -- as counsel have stated, we don't
16 know whether it was Mr. Gregonis. We don't know who it was.
17 We don't even know if it happened. We can't show it. We
18 can't show that blood was planted on the T-shirt. Obviously
19 it was not planted on the T-shirt before trial. It's just a
20 theory the People are floating because it's easy to shoot down
21 and ridicule. Obviously, the police did not plant blood on
22 Mr. Cooper's shirt before trial and then not find it at
23 trial. It had to have happened sometime afterwards.

24 If it did happen, it happened post-1997 because
25 that's the first time we were really discussing DNA testing at
26 all, and of course Mr. Gregonis or whoever did that, if it
27 happened, wouldn't call the crime lab and say, "Be sure and
28 test the T-shirt." That wouldn't be necessary. If the blood

1 was on there, they'd find it then.

2 EDTA testing is a fairly simple procedure. If the
3 results were that there was EDTA in sufficient concentration
4 to show that the blood was planted, that has enormous
5 materiality, enormous consequences for the evidence in this
6 case and for the integrity of the entire case against
7 Mr. Cooper. It's a simple, easy thing to do. It wouldn't
8 involve any delay.

9 Mr. Cooper has other proceedings, Atkins and Ring
10 proceedings in the California Supreme Court at this time. If
11 they're denied, they go to Federal Court. All this testing
12 would be done and completed long before those issues are
13 resolved. So there's no issue of delay. It's a death penalty
14 case, and simple justice requires that this test be done.
15 It's a simple test. The results could be very, very material
16 and they simply should be done.

17 THE COURT: Mr. McGuigan?

18 MR. MCGUIGAN: Thank you, your Honor. We're going to
19 make it before lunch.

20 Mr. Millar is always capable of getting the juices
21 flowing. But I would submit, your Honor, as the Court
22 knows -- and I know the Court has listened to at least
23 hundreds and probably thousands of lawyers argue their cases
24 -- one of the techniques which Mr. Millar uses is it's much
25 easier to set up a contention that the defense is making, not
26 the contention they're making but one that you can ridicule,
27 and say that's really the contention that they're making.

28 This is a facet of his arguments that I've noticed

1 over the years in reading his replies. He usually rewords the
2 appellate pleadings and says, "Well, they say these are the
3 questions, but they aren't. These are the questions they
4 really should be asking, one, two, three. Now let me attack
5 those questions." And he does that, and he did that here.
6 So, you know, it's an effective argument, but I think it's
7 called setting up a straw man.

8 I don't like to use words like "amazing,"
9 "ludicrous," "ridiculous" and so forth in argument. And I
10 know that, death penalty cases being so polarizing as they
11 are, there is a tendency for both sides to slip into that, and
12 I try to avoid that. I'll try not to make any ridiculous or
13 ludicrous arguments, and I leave it to the Court to decide
14 whether I have.

15 Mr. Millar spent some time stating that the most
16 massive manhunt California has ever seen was launched for
17 Mr. Cooper when he walked out of CIM on a res-burg commit. A
18 massive manhunt happened after the murders. It would be --
19 what shall I say? It would be ridiculous to launch a massive
20 manhunt for any escapee from Chino, which at that time was a
21 fairly open facility.

22 And in the media -- this case was transferred from
23 San Bernardino because of excessive media pressure and
24 influence, and the community was very wrought up about it.
25 And among the materials that were presented to the court on
26 that transfer hearing was an interview with this guy from CIM,
27 and the reporter is saying, "How did -- how did Cooper get
28 away?" And he said, "Well, I suppose he just walked right

1 under that fence over there where it goes over the culvert.
2 That's the way a lot of the guys do it."

3 "Well, has this happened before?

4 "Oh, yeah, probably.

5 "How often?

6 "Oh, two, three, four times a month.

7 "What?" says the reporter. "How do they get away?"

8 He said, "They usually just call their girlfriends
9 and ask them to pick them up."

10 Now, if every one of those escapes had resulted in
11 the most massive manhunt in California history, we would have
12 just been existing in a total state of massive manhunt. So
13 the massive manhunt took place, of course, after Mr. Cooper
14 was designated as a murder suspect, not because of a
15 nonviolent walk-away from Chino, which was a common occurrence
16 at the time.

17 So there's absolutely no basis for him to be
18 desperate. In fact, as I argued to the Court earlier and as
19 Mr. Millar obviously agrees, the way to get a massive manhunt
20 focused on you is to murder four people. Then you will get a
21 massive manhunt focused on you.

22 Now, we are back to the point where how could, you
23 know, the People be so prescient, the prosecution folks, back
24 in 1983 to plant this blood? But the defense, Mr. Negus
25 and/or Dr. Thornton, are so prescient as to alter the hairs so
26 they will be discovered now. And I have commented on both of
27 those things, and those are not our arguments.

28 Now, the ambiguity about A-41, your Honor, is as to

1 the drop itself, and there was ambiguity as to the serology
2 that was done at the trial also. At that time, as the Court
3 I'm sure remembers, there was a great deal of dispute about
4 serology and the techniques that were used in serology, and as
5 soon as DNA became readily available that whole area was
6 dropped. So it was a perfectly legitimate attack to make and
7 was made in many courts, but there was always another
8 ambiguity as to the drop itself.

9 The Court, I recall, questioned Mr. Stockwell quite
10 closely on the drop, on how it came to be and its path. And
11 the interesting thing is that it was found down the hall, away
12 from the murder scene, where, according to Mr. Millar, Kevin
13 Cooper waded around in his tennis shoes in the blood and then
14 walked down the hall without leaving any bloody footprints
15 whatsoever, and dropped this single drop about 10 or 12 feet
16 down the hall.

17 Now, the problem arises from the time A-41 was
18 seized by Mr. Stockwell until it was finally tested at the
19 lab. A person named Baird was one of the persons at the lab
20 at that time, is no longer there. Later on, not at that time
21 but later on, he apparently became addicted, took --

22 MR. KOCHIS: I'm going to object. There's no evidence of
23 that in this hearing.

24 THE COURT: Sustained. Besides, I don't necessarily
25 think it's relevant how to characterize the gentleman.

26 MR. MCGUIGAN: Anyway, he is the person who tested A-41.
27 We've always had and it's always been a question that's been
28 raised many times throughout the course of the appellate

1 proceedings in this case, whether A-41 was replaced or
2 substituted within the crime lab itself, and that's a serious
3 question which has been raised and never definitively
4 answered. So that's why A-41 remains ambiguous to this day.

5 And the tennis shoes, I think the prints were not
6 the same size. And the other Pro Keds -- there was another
7 set of Pro Keds found in the lab, also in the custody of
8 Mr. Baird, which were not Kevin Cooper's because -- I don't
9 think they ever recovered them from Kevin Cooper.

10 So the hair -- once again, we're talking about the
11 dog hair and the cat hair, and I said at the beginning and
12 I'll say it again because apparently -- we're not saying that
13 the dog hair and the cat hair should be tested, and Mr. Myers,
14 the state's own criminalist, said it's not difficult for any
15 qualified examiner with the spectroscopic microscope to sort
16 through and sort out the animal hairs, and then they can even
17 look at close calls with a higher magnifying power microscope.

18 So it wouldn't be difficult to reject all the cat
19 and dog hairs. We're not asking for any of those cat and dog
20 hairs to be tested. We're certainly not contending that the
21 cats and dogs -- you know, there's red herrings, and I guess
22 there's red dogs and red cats. We talk about these things,
23 but that's not what the issue is.

24 Our issue -- and we're not asking -- we're not
25 seeking to go out and arrest the perpetrator or the person who
26 deposited these clumps of hairs. I know that's a problem for
27 the prosecution, and it's not surprising that they find it
28 disturbing and difficult, and I made it clear to Mr. Myers, I

1 made it clear in my opening argument, and let me repeat again.

2 What we believe makes this evidence material is if
3 it matches -- if the mitochondrial DNA from different hairs in
4 different persons' hands match one to the other, which
5 Mr. Myers admitted was quite possible and which he admitted
6 would be a very significant finding, that's one thing. We can
7 also determine whether they are indeed the victims' hairs
8 because we have all those materials.

9 The database that we would be comparing it to is the
10 database we have, the database that these hairs will provide
11 us, and of course everyone agrees it's not Mr. Cooper's hair
12 anyway but we'll compare it to that just in case. And if it
13 comes out to be -- it's not like there's one or two hairs.
14 It's not like poor Mr. Myers, who had the single blond hair.
15 I notice he didn't suggest that we make comparisons with other
16 girlfriends of his to tell his wife the results.

17 But here we have Exhibit 44, Exhibit 41, large
18 clumps of hair, your Honor, and they're human hairs, and we
19 can look at them and see that. And there are some animal
20 hairs -- a few animal hairs mixed in with these, that's true,
21 and there was a lot of animal hairs on the carpet.

22 We didn't need -- I'm sure your Honor -- I don't
23 know. I have a basset hound. I got him because I had an
24 Akita before and he shed all over the house all the time, and
25 so I said the next dog is going to have short hair. So I got
26 this basset hound, and he's been shedding all over the house
27 ever since. So anyone who's had a dog knows that they all
28 shed all over the house, and you try to keep it clean and it

1 doesn't take long for that to happen.

2 That didn't prevent these criminalists from seizing
3 samples of all the hair that they thought were significant.
4 And, of course the hair in the hands of the victims is
5 significant, and they also seized all the other hair from
6 their bodies.

7 Now, I have never suggested in this hearing to this
8 Court that we test that other hair because to me it seems very
9 likely that that is hair that was picked up when the person
10 was rolling around. If the Court should order this testing
11 which we are requesting it to do, I would refer that to the
12 criminalists, just as we have with the DNA testing, for them
13 to decide whether any of those other hairs should be tested or
14 not, and I fully expect that that will include Mr. Myers'
15 input. As far as I'm concerned, it will.

16 So we're asking for the testing of the hair in the
17 hands, and we're asking that the animal hair be thrown out.
18 And if it all comes back -- if it comes back to maternal
19 ancestry of numerous different people, the state's argument
20 which they made here, they can make it again and it's going to
21 be a very powerful argument. But to say that that's going to
22 happen now before we've even done the test is not a viable way
23 to argue.

24 Now, to say that Mr. Cooper has been delaying this
25 case for 20 years, I will submit it's true, he doesn't want to
26 die any more than anyone else wants to die. Everyone is
27 entitled to do what they can to stay alive, within reason.

28 But half of the time or more that Mr. Cooper has

1 been sitting in death row, just like everybody else in death
2 row in this state, is because he's sitting there without a
3 lawyer. There's nothing he can do about that. It takes a
4 long time to get the lawyer appointed on appeal in the first
5 place. It takes a long time to get the lawyer appointed on a
6 habeas in the second place. So at least half of that time is
7 because he's waiting for a lawyer, and that's true of
8 everybody on the row. Some of the guys have been up there a
9 long time and they still don't have a lawyer.

10 One of the reasons is that, of course, it's very
11 difficult to get funding and to get the funds paid promptly.
12 And so to say that we should somehow go and launch a manhunt
13 for Mr. Espinoza and produce him here to say that, "Hey, I
14 didn't alter the hairs," and produce Dr. Thornton here to say,
15 "Well, hey, I don't remember anything about this. My files
16 are long gone" -- so what we had was we had Mr. Negus.

17 If the prosecution thought that they had altered the
18 hairs back then, in the same prescient way they accused us of
19 suggesting the blood was planted, they got this stuff all
20 back, they examined it all, they looked at it. There was no
21 complaint ever registered, "Hey, what happened to this thing
22 here? It doesn't look like the same hairs. We have
23 photographs. It doesn't look like the same boxes."

24 There's no basis for assuming that in some way --
25 the chain of custody which the statute talks about is a chain
26 which is sufficient for the Court to be reasonably certain
27 that the evidence to be tested will be reliable, and I think
28 we've made that showing very adequately. The evidence is

1 going to be reliable, because it provides its own reliability.
2 We get a mitochondrial result from it, and the mitochondrial
3 result, if it's, you know, all totally random, which is what
4 the prosecution says it's going to be, that's what it will be.

5 But the mere appearance of the evidence that's
6 presented here is sufficient to raise questions about how
7 totally random it could be. It may come back consistent with
8 the victims. It could.

9 But it's not too clear why anybody would
10 deliberately plant the victims' hair in the process of
11 court-ordered testing by the court at that time in San
12 Bernardino, transferred to a forensic doctor who is very well
13 known, reliable, and still teaching and practicing to this
14 day, and it was under his custody and control until it was
15 turned back in.

16 I could complain like Mr. Millar is doing, well,
17 they have the sheets where it was turned back in. Where are
18 they? Where are they? I don't think that's necessary. We
19 already talked about that. I mean, the time in which it was
20 returned was late '84, '85. I don't think anyone denies that.

21 Now, the only other area that I wanted to mention,
22 your Honor, is burden of proof issues. We talked about that
23 to some extent at the hearing over whether to have a hearing
24 when the Court was interested in burdens of proof at that
25 time, and I have addressed it. The People have addressed it.
26 Mr. Millar has responded and addressed it, and he has the
27 cases which he's talked about to you.

28 There is no Supreme Court opinion, obviously, on

1 this statute, what the burden should be. There's a Supreme
2 Court opinion in Strickland which we all know very well, and
3 it says the evidence or the assistance -- incompetent
4 assistance has to be material enough so that it raises a doubt
5 about the outcome of the justice of the trial.

6 Every time that standard goes to an appellate court
7 we have an opinion, sometimes two to one, and then every time
8 it goes to the Ninth Circuit we have an opinion where they
9 say, "Oh, yes, that's the way it is, and there's no question
10 that it's material." And then it goes to the Supreme Court
11 and they say, "There's no question that it's not material."

12 Well, we know everything that we need to know to
13 decide the Strickland case. There's the trial, there's the
14 performance of the lawyer, and still people differ on what's
15 material and what's not.

16 Here I think the Court should be looking at the fact
17 that we don't know the outcome yet. The time to decide how
18 material it is -- it could be material, as we've presented to
19 the Court. It's when we know the answer, that's the time to
20 decide that.

21 And, you know, we -- when the issue came up, the
22 first thing we wanted and the thing we focused on from the
23 very beginning was the hair in the victims' hands, and we
24 wanted mitochondrial testing of it done. The defense wanted
25 that. The prosecution didn't want to do the mitochondrial
26 testing.

27 So we negotiated an agreement where we mentioned it,
28 but we left it out of the agreement, and both sides left it to

1 be decided because we couldn't agree on it. And we're the
2 ones who wanted it. They're the ones who didn't want it. And
3 now they come and say, "Look, they dilly-dallied around for
4 two years and they wasted time and delayed the proceedings
5 because now they come in and want mitochondrial testing,"
6 which the prosecution refused to agree to when we agreed on
7 the agreed testing, and they didn't agree to that until
8 coincidentally the statute was about to go into effect.

9 Even then we filed the motion 60 days before the
10 statute went into effect, and it was at that point that the
11 prosecution said, "Well, we might as well do the testing.
12 Let's get together and agree on it." And that's why this
13 Court -- not this Court but our Court here has monitored the
14 progress of the testing done under that agreement with review
15 hearings every four months or so. So I don't think the delay
16 point is well-taken.

17 As to the burden of proof, I've argued what I think
18 it is. Some of the cases that the prosecution has cited, the
19 -- some of the cases they've cited where the Court refused to
20 reverse because the standard wasn't met were reversed later
21 because DNA evidence came forward, things like that.

22 So the Court has to, I think, bear in mind that this
23 is evidence, it's material. The fact that they had hairs in
24 their hands shows that Mr. Cooper is not the attacker. I will
25 certainly bear that in mind the next time that there is no
26 hair in the victims' hands or the next time that a client of
27 mine has hair -- his hair in the victim's hands, I'll point
28 out how ridiculous that would be to think that he would grab

1 hair. So I don't think that's necessarily a cogent argument.

2 Almost all the arguments that both sides have
3 presented here, both sides, as is usually true, reasonably
4 competent lawyers can usually come up with two edges to almost
5 every sword. But for this Court to decide, I think it's
6 whether we've made a sufficient material showing. We've shown
7 the evidence is there, we've shown it can be tested, and we've
8 shown there is an outcome that could be very significant and
9 affect the case.

10 So I believe we have met our burdens, and I think
11 the Court should order mitochondrial testing. Thank you, your
12 Honor.

13 MR. BERNSTEIN: Your Honor --

14 MR. MCGUIGAN: We could -- and I haven't done that yet
15 because I think it's premature, but we're ready at any time,
16 your Honor to present labs -- in fact, Mr. Plourd mentioned it
17 briefly and so did Mr. Myers. We do have labs that would be
18 available to do this testing. They're viable. Mr. Plourd and
19 Mr. Myers at least agree. I'm willing to defer to them. The
20 testing would not be overwhelmingly expensive, and the time
21 frames we've discussed are the time frames we've been told.
22 So we can provide that information to the Court anytime it
23 needs it.

24 MR. BERNSTEIN: Your Honor has been incredibly indulgent
25 in bending its rules of procedure for this hearing. I was
26 hoping I could get 30 more seconds' worth of indulgence to
27 make a point.

28 THE COURT: Let's make it quick, though.

1 MR. BERNSTEIN: Yes. There's been repeated references to
2 the California Supreme Court's characterization of the
3 evidence in this case as overwhelming, but the evidence that
4 I've mentioned that was contrary to the conclusion that
5 Mr. Cooper was guilty was never mentioned at all in that
6 opinion, and of course any evidence that is presented as
7 though there was no rebuttal to it is going to seem
8 overwhelming.

9 The California Supreme Court never mentioned any of
10 the matters I mentioned. It didn't, I believe, mention the
11 bloody coveralls that could have been favorable evidence for
12 Mr. Cooper, but were thrown away by the police. Counsel can
13 correct me, but I don't recall that being mentioned in the
14 opinion either.

15 Certainly the evidence was legally sufficient to
16 convict Mr. Cooper, but that doesn't mean there was no
17 contrary evidence. There was. And I think that the
18 California Supreme Court's overwhelming evidence
19 characterization should be considered in that light. That's
20 all. Thank you, your Honor.

21 THE COURT: All right. Is the matter now -- all these
22 matters now submitted?

23 MR. KOCHIS: Yes, your Honor.

24 MR. BERNSTEIN: Yes, your Honor.

25 MR. MCGUIGAN: Yes, your Honor.

26 THE COURT: I'll take them under submission because I
27 have a lot of homework to do in connection with reaching
28 decisions in this matter.

1 While we're here, I have a couple of questions. One
2 is, shouldn't there be some sort of a cutoff point for the
3 filing of additional post-conviction motions? I mean, does
4 this go on forever?

5 MR. MCGUIGAN: I believe some --

6 MR. BERNSTEIN: Are you addressing --

7 THE COURT: I'm addressing counsel. I'm not addressing
8 anyone particularly.

9 MR. MCGUIGAN: Some states do go on forever -- not
10 forever, because everybody dies sooner or later. But others
11 do not. And I would certainly tell the Court that Mr. Millar
12 is a master of timeliness rules on appeal for habeas, for
13 federal filings, state filings. And there are many, many
14 hurdles that have to be jumped, and they're very difficult to
15 jump when these kinds of motions are brought.

16 MR. BERNSTEIN: Your Honor, I don't think the statutes --
17 the relevant statutes provide for any time limit, and
18 certainly 1405 was only passed --

19 THE COURT: I'm not challenging the ones that have been
20 made. I'm challenging --

21 MR. MCGUIGAN: The suggestion that future --

22 THE COURT: -- the imaginations of counsel in the future
23 and whether or not there shouldn't be a closed door for these
24 things.

25 MR. MILLAR: Two things: First of all, in federal
26 proceedings -- and I know we're not in federal proceedings --
27 there are time limits which were imposed by the -- what we
28 call the AEDPA -- capital A-E-D as in David, P as in Paul, A.

1 It's the Anti-terrorism and Effective Death Penalty Act of
2 1996 -- which essentially imposes a one-year time period
3 measured from various points in time, and then it also
4 precludes second or successive petitions. In other words,
5 once the federal habeas petitioner has his first bite at the
6 apple he has to meet stringent requirements to get a second
7 petition heard.

8 Now, in this case, for example, Mr. Cooper was
9 through the federal system on his first petition. He's
10 attempted to file two additional federal habeas petitions. In
11 order to do that you first have to get under the AEDPA. You
12 first have to get authorization from the Court of Appeals, the
13 Ninth Circuit, to do that. They have twice denied him that
14 authorization.

15 Now, with respect to the state proceedings, as the
16 Court probably knows, there are certain general limitations on
17 habeas petitions which the California Supreme Court has set
18 forth in their policies governing post-conviction petitions.

19 With respect to 1405, I do note that although
20 there's no specific time requirement, 1405 -- let me get the
21 right subsections here. 1405(f)(8) says one of the
22 requirements that the defendant has to show or the Court has
23 to find is that the motion is not made solely for the purpose
24 of delay. So if the Court were to conclude that that was a
25 consideration here -- and certainly with respect to the EDTA
26 testing we have argued that there's delay.

27 But -- and I'm not saying that their 1405 motion was
28 in that regard because, as counsel pointed out, that was filed

1 shortly before the statute was even adopted. But I can only
2 point out that generally there is this general limitation if
3 something is made for the purpose of delay. But other than
4 that, I'm not aware of anything in 1405.

5 I was pretty heavily involved in the discussions,
6 the various versions of the bill and different things in that
7 regard. I'm not aware of anything that sets a specific time
8 limit on motions, for example, successive 1405 motions.

9 I think they are certainly contemplated in the sense
10 that 1405(f)(6)(A) and (B) contain alternative provisions.
11 (A) is where the evidence was not tested previously, and (B)
12 is the evidence was tested previously, but the requested DNA
13 test would provide results, et cetera, et cetera.

14 So there is nothing in the statute which absolutely
15 precludes somebody from making successive requests.

16 THE COURT: Thank you.

17 Thank you, gentlemen. We'll be in recess, and I
18 will get this out as quickly as I can.

19 MR. MILLAR: Thank you, your Honor.

20 MR. BERNSTEIN: Thank you, your Honor.

21 MR. KOCHIS: Thank you, your Honor.

22 MR. MCGUIGAN: Thank you, your Honor. We all appreciate
23 the Court's patience through the disruptive hearing -- not
24 disruptive, but out of sequence, it's fair to say.

25 (At 11:55 a.m. proceedings concluded.)

26 ---0---

27

28


STATE OF CALIFORNIA)

: SS.

COUNTY OF SAN DIEGO)

I, Kay E. Collier, CSR No. 2725, an Official Reporter of the Superior Court of the State of California, in and for the County of San Diego, do hereby certify that I reported in shorthand the proceedings had in the above-entitled cause on June 25, 2003, and that the foregoing transcript, consisting of pages numbered from 313 to 386, inclusive, is a full, true and correct transcript of the proceedings had in said cause on said date.

Dated this 29th day of September, 2003, at San Diego, California.



Kay E. Collier, CSR No. 2725
Official Court Reporter