

SUPREME COURT OF THE STATE OF CALIFORNIA

COPY

THE PEOPLE OF THE STATE OF CALIFORNIA,

Plaintiff-Respondent,

vs.

KEVIN COOPER,

Defendant-Appellant.

CR 72787

Supreme Court

No. *Crim 2452*

APPEAL FROM THE SUPERIOR COURT OF SAN DIEGO COUNTY

HONORABLE RICHARD C. GARNER, JUDGE PRESIDING

REPORTERS' TRANSCRIPT ON APPEAL

APPEARANCES:

For Plaintiff-Respondent:

HON. JOHN K. VAN DE KAMP  
State Attorney General  
Department of Justice  
110 West "A" Street, Suite 700  
San Diego, California 92101

For Defendant-Appellant:

IN PROPRIA PERSONA

*24*  
VOLUME *14* OF *14* volumes.  
Pages 1039 to 1168, incl.

JILL D. MC KIMMEY, C.S.R., C-2314  
and  
BRIAN V. RATEKIN, C.S.R., C-3715  
Official Reporters

007550

1 SUPERIOR COURT OF THE STATE OF CALIFORNIA  
2 FOR THE COUNTY OF SAN BERNARDINO

3 THE PEOPLE OF THE STATE )  
4 OF CALIFORNIA, )

5 Plaintiff, )

6 vs. )

NO. OCR-9319

7 KEVIN COOPER, )

8 Defendant. )

VOLUME 14

Pgs. 1039 thru 1168, incl.

9  
10 REPORTERS' DAILY TRANSCRIPT  
11 BEFORE HONORABLE RICHARD C. GARNER, JUDGE  
12 DEPARTMENT 3 - ONTARIO, CALIFORNIA

13 Monday, April 23, 1984

14 APPEARANCES:

15 For the People:

DENNIS KOTTMEIER  
District Attorney

16 DENNIS KOTTMEIER  
17 District Attorney  
18 By: JOHN P. KOCHIS  
Deputy District Attorney

19 For the Defendant:

DAVID McKENNA  
Public Defender  
20 By: DAVID NEGUS  
21 Deputy Public Defender

22  
23 Reported by:

JILL D. McKIMMEY  
Official Reporter  
C.S.R. No. 2314  
24 and  
25 BRIAN RATEKIN  
Official Reporter  
26 C.S.R. No. 3715

000755-1

# I N D E X

**PEOPLE'S WITNESS**

**PAGE**

**GREGONIS, Daniel John**

Direct Examination by Mr. Kochis . . . . .	1051
Cross-Examination by Mr. Negus . . . . .	1101
Cross-Examination Resumed by Mr. Negus . . . . .	1115

## EXHIBITS

FOR I.D.

IN EVIDENCE

### K-1 - Diagram

1117

1

--o0o--

007552

1 ONTARIO, CALIFORNIA; MONDAY, APRIL 23, 1984; 9:40 A.M.

2 DEPARTMENT NO. 3

HON. RICHARD C. GARNER, JUDGE

3 APPEARANCES:

4 The Defendant with his Counsel, DAVID NEGUS,  
5 Deputy Public Defender of San Bernardino  
6 County; DENNIS KOTTMEIER, District Attorney  
7 of San Bernardino County, JOHN P. KOCHIS,  
8 Deputy District Attorney of San Bernardino  
9 County, representing the People of the State  
10 of California.

11 (Jill D. McKimney, C.S.R., Official Reporter, C-2314,  
12 Brian Ratekin, C.S.R., Official Reporter, C-3715)

13  
14 (Whereupon, the following proceedings  
15 were had in chambers:)

16 THE COURT: All right. With reference to People  
17 versus Kevin Cooper, Mr. Cooper's here with all three  
18 counsel.

19 Last week we discussed a stipulation to be presented  
20 to the Court. I would imagine that would be the first  
21 order of business this morning.

22 Has that been worked on?

23 MR. NEGUS: I submitted it in the form that I was  
24 submitting it, and I believe that Mr. Kochis agreed to  
25 it.

26 THE COURT: I am not even sure where it's at.

1 All right. I have it here. I made some notes  
2 for and aft on it, but --

3 MR. NEGUS: I can get you another copy.

4 THE COURT: -- I don't know if that's agreeable  
5 with you. This is entitled "Defendant's Proposed  
6 Stipulation Regarding Transfer of Case and Hearing In  
7 Limine Motions in San Bernardino County."

8 Mr. Kochis, have you examined that? I will cross  
9 off my handwriting at some point, if that's agreeable.  
10 I kind of thought --

11 MR. NEGUS: I have another copy, if you'd rather  
12 sign that.

13 THE COURT: I thought you might have a more formal  
14 document. A cleaner copy might now be appropriate.

15 MR. NEGUS: And it is my understanding as well that  
16 from the previous stipulations, that jeopardy is likewise  
17 attaching at this point in time.

18 MR. KOCHIS: This stipulation is acceptable, and I  
19 am going to sign it and indicate our acceptance.

20 THE COURT: All right. I will formally approve it  
21 and sign it myself and date it.

22 I am marking on the front "Original" and crossing  
23 off "Defendant's Proposed" to where now it just reads  
24 "Stipulation", and I will write "Approved and Accepted  
25 April 23, 1984."

26 Before I sign it, I'd like Mr. Cooper to personally

1040-7555-5

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25
- 26

MR. NEGUS: Is the stipulation that I told you about where we're agreeing that in exchange for the prosecution not being able to relitigate the change of venue motion and preserving my right to make a change of venue motion out of San Diego County, we are agreeing to do the trial motions in this county?

**THE DEFENDANT:** Yes, I agree.

THE COURT: All right.

MR. KOCHIS: Your Honor, the one thing I would like to clarify for the record, Mr. Negus' reference to our agreement not to relitigate the change of venue motion, in effect, was limited to our rights pursuant to Penal Code 1036.5, our right in the future to relitigate our ability to hear the case in this county.

MR. NEGUS: True.

MR. KOCHIS: And I don't think we are limited if something would result in the course of this trial that would result in what we call a hung jury and the case were later retried some years down the road.

MR. NEGUS: I don't know about that, but certainly all we are intending to do is whatever effect Penal Code Section 1036.5 has, that's what we're --

THE COURT: I'm not quite sure I follow that.  
Could you spell it out for me? I don't have that code  
section before me.

1 MR. KOCHIS: Yes, Your Honor. Under that section  
2 of the Penal Code, the prosecution has a right any time  
3 prior to the transfer order being filed to request another  
4 evidentiary hearing and to relitigate the Court's decision  
5 to transfer the case out of San Bernardino County, and to  
6 say, Judge, for example, four or five months have passed  
7 since you have made that decision, the feelings in the  
8 community have subsided, we now feel Mr. Cooper can get a  
9 fair trial in San Bernardino County, Penal Code Section  
10 1036 says we have to have that hearing before the case is  
11 transferred out of the county.

12 THE COURT: I understand. I understand now. All  
13 right.

14 The document signed and dated by myself is given  
15 to the clerk for filing.

16 I am also giving to you the two confidential  
17 statements filed previously by counsel back middle of  
18 April which were ordered sealed, and the clerk can seal  
19 those documents.

20 MR. NEGUS: Your Honor, I am going to be presenting  
21 to you later today a proposed order releasing those  
22 documents to me to take to the Court of Appeal, so if  
23 that makes a difference as to how you handle it --

24 THE COURT: You don't have a copy of those?

25 MR. NEGUS: Well, I'm requesting that all the  
26 exhibits and transcripts be released to me so I can lodge

24 Judge Kayashima has requested that before he  
25 approves the large sum of money that would be required  
26 to send my investigator hither and yon to investigate these,

007557



1 that I request that this Court require the prosecution  
2 to make a preliminary offer of proof as to how those  
3 acts would be relevant and the Court then rule on that  
4 preliminary offer of proof, so that if there were any  
5 that could be excluded, we could save the money that  
6 would be involved in investigating them; therefore, I  
7 would request that the Court ask the prosecutor to make  
8 a preliminary offer of proof as soon as the prosecutor  
9 can.

10 THE COURT: Well, that's fine, as far as I'm  
11 concerned. I'm not sure that this precise moment is the  
12 time for doing that.

13 MR. NEGUS: Well, the reason is that Mr. -- that  
14 we're talking about going back to Pennsylvania, and I  
15 need to send Mr. Forbush back there as soon as possible.  
16 I don't -- I don't expect that Mr. Kochis is going to be  
17 able to rattle off his reasons off the top of his head,  
18 but I wanted to set the Court on notice that I am going  
19 to be -- that I need to have that as soon as possible.

20 THE COURT: That's fine.

21 Any problem with that, Mr. Kochis? When would we  
22 want to handle it?

23 MR. NEGUS: Perhaps the end of next week.

24 MR. KOCHIS: I will attempt to be ready by the  
25 end of next week to articulate my reasons on the record.

26 THE COURT: End of next week?

27 MR. KOCHIS: Before the Court leaves on vacation,

0055700

1 that would be either next Wednesday or Thursday, which  
2 would be May the 2nd of May the 3rd.

3 THE COURT: That's fine.

4 MR. NEGUS: Thank you.

5 That's all I had to do in chambers, I think.

6 THE COURT: Anything further, Mr. Kochis?

7 MR. KOCHIS: Not at this time.

8 THE COURT: We are going to proceed this morning  
9 on what, now, precisely?

10 MR. KOCHIS: The reliability and validity and  
11 acceptance within the scientific community of --

12 THE COURT: Is this Kelly-Frye then?

13 MR. KOCHIS: Yes, Your Honor.

14 MR. NEGUS: I am prepared to make a formal motion  
15 on that in court, if you want me to. I didn't think that  
16 needs to be back here.

17 THE COURT: I'm ready to proceed outside. You  
18 can make your statements outside, if you wish, sir.

19 MR. NEGUS: Fine.

20 THE COURT: One other thing. As I indicated to you,  
21 I believe, Friday, I am going to be calling about 11:00  
22 o'clock or at the morning recess the judge in San Diego,  
23 and as best I could figure at this time as far as when  
24 to tell him, I would expect us to tentatively set the 9th  
25 of July.

26 MR. NEGUS: I hope so.

0007559

THE COURT: Does that sound okay?

MR. KOCHIS: Yes.

THE COURT: All right. Thank you.

Let's go back outside.

(No omissions.)

007560

1 (Whereupon the following proceedings were  
2 held in open court.)

3 THE COURT: All right, Counsel. Now, in open court,  
4 Mr. Negus, did you have something?

5 MR. NEGUS: Yes, Your Honor. Basically what, at this  
6 point, I'd like to do is -- is have a -- the foundational  
7 hearing which is required by People versus Kelly and People  
8 versus Frye and People versus Shirley for the following  
9 scientific tests that may come up in the evidence of this  
10 particular case.

11 First of all, with respect to a technique called  
12 electrophoresis, what is referred to as Group I testing for  
13 the enzymes PGM, EsD and GLO; Group II testing for ACP, ADA  
14 and AK; Group III testing for transferrin and group specific  
15 component; and Group IV testing for CA II and PEP A. In  
16 addition, the testing procedure technique known as isoelectric  
17 focusing with respect to PGM subtypes; a gradient gel  
18 technique for the typing of haptoglobin; the technique used  
19 by Mr. Gregonis to do Lewis typing of blood. The test used  
20 by Mr. Gregonis to determine secretor or non-secretor status  
21 of semen; the test used by Mr. Gregonis to determine the  
22 secretor or non-secretor status of saliva; the test used by  
23 Mr. Gregonis to determine whether or not the chemical p30 was  
24 present -- was present in certain stains; and the testing  
25 procedure with respect to determining amylase in saliva stains.

26 THE COURT: Mr. Kochis, we both anticipated commencement

1 of the Kelly-Frye issue today. We didn't talk about the  
2 specific batting order, so to speak. Are you prepared to  
3 proceed as indicated?

4 MR. KOCHIS: Your Honor, with some deviations. I  
5 have some concerns about two of the enzymes, at least, some  
6 of the tests Mr. Negus wants me to raise a foundation for.

7 In the Group I, of the GLO, it's not my understanding  
8 my laboratory performed those tests on any of the stains.  
9 And on the PGM subtyping, I believe at that time my laboratory  
10 did not engage in PGM subtyping and we did not type any of  
11 the known bloods for their PGM subtypes nor any of the  
12 stains for their PGM subtypes.

13 THE COURT: What group is that?

14 MR. KOCHIS: That's the separate group, Your Honor,  
15 that Mr. Negus touched on when he finished enumerating the  
16 enzymes in Group IV. And it was not my intention to lay a  
17 foundation for enzymes he did not type for, obviously.

18 THE COURT: Okay.

19 MR. KOCHIS: And with the other items, the amylase,  
20 I had not intended to go into that, but I can. And the Lewis  
21 blood typing, I had not intended, but, again, I -- I can and  
22 do intend to go into the ABO system and the method Mr.  
23 Gregonis used to determine those.

24 But, at this point, I'm not stipulating by laying a  
25 foundation that this is covered by the Kelly-Frye-Shirley  
26 line of cases in that those cases involve voice print

00075623

6 THE COURT: Well, I think, for purposes of proceeding,  
7 you may as well assume that it is a proper subject for -- for  
8 objection to the foundation and that it is not all universally  
9 accepted in courts. And, to some extent, it is different  
10 techniques. So I'm going to have to listen to it, reserving  
11 your right to argue the point, however, at a later time.

13 MR. NEGUS: Until the middle of next week or the  
14 end of next week.

16 THE COURT: I think in some manner from the reporters,  
17 then, I would like a copy of the transcript on this as well.

19 MR. NEGUS: Mr. Kochis is correct that Mr. Gregonis  
20 did not do any GLO typing or PGM subtyping. However, I put  
21 those in there because I may be eliciting testimony from  
22 Mr. Kochis' experts on those subjects. And I believe that  
23 they will come up in the course of the trial. And rather  
24 than try and distinguish foundations later, I thought it  
would be more convenient to do them all now.

26 THE COURT: Call your first witness.

007563

1 MR. KOCHIS: People would call Dan Gregonis.

2

3 D A N I E L J. G R E G O N I S, called as a witness by and  
4 on behalf of the People, was sworn and testified as  
5 follows:

6 THE CLERK: You do solemnly swear the testimony you  
7 are about to give in the action now pending before this  
8 Court shall be the truth, the whole truth and nothing but  
9 the truth.

10 THE WITNESS: I do.

11 THE CLERK: Please be seated.

12 State your name, please, for the record and spell  
13 your last name.

14 THE WITNESS: Daniel J. Gregonis, G-r-e-g-o-n-i-s.

15 THE COURT: Counsel, before you start, he's testified  
16 before, and I'm not at all sure that we can save any at all,  
17 but have you thought about that? Is there any way we can  
18 expedite?

19 MR. NEGUS: No. Basically, Mr. Gregonis' testimony  
20 before was -- I doubt that duplicates hardly any of what  
21 we will do now. And I think it will be confusing to me to  
22 try and keep them straight. Basically, what he's -- his  
23 subject of his testimony before was not the subject of the  
24 testimony we're going to have now.

25 MR. KOCHIS: Although I can't agree with it, you need  
26 two people to stipulate. If Mr. Negus is not going to, it's not

007594

1 necessary for me to consider it.

2 THE COURT: All right. Go ahead.

3 MR. KOCHIS: May I proceed.

4 THE COURT: Yes.

5

6

DIRECT EXAMINATION

7 BY MR. KOCHIS:

8 Q Mr. Gregonis, what is your business or profession?

9 A I'm employed as a criminalist with the San Bernardino  
10 County Sheriff's Crime Laboratory.

11 Q What educational background do you have in terms of a  
12 college education which qualifies you for that position?

13 A I have a Bachelor of Science in Criminalistics from  
14 Metropolitan State College in Denver, Colorado.

15 Q How long have you been employed by this county as a  
16 criminalist?

17 A Approximately four and a half years.

18 Q Are you familiar with the concept of serology?

19 A Yes, I am.

20 Q And would you define for this Court the term serology.

21 A Serology, or in particular, forensic serology, is the  
22 identification and subsequent grouping of body fluids,  
23 mostly in their dried state.

24 Q What education did you receive at the undergraduate level  
25 specifically that dealt with the issue or issues involved  
26 in serology?

5565755



Q Do you belong to any professional societies or organizations?

1 A Yes, I do.

2 Q What do those consist of?

3 A I belong to the California Association of Criminalists.

4 Q And do you belong to any subgroup within that association

5 which deals with this issue of serology?

6 A Yes, I do.

7 Q What's the name of that organization?

8 A Okay. Basically it includes a serology study group which

9 meets approximately four to six weeks -- every -- every

10 four to six weeks.

11 Q How many individuals are in that particular study group?

12 A In the southern section, there's approximately, I would

13 say, 30 individuals that do serology.

14 Q And for what purpose does the group meet?

15 A Basically for the discussion of various theories,

16 techniques, any problems that we may have or any new

17 fields which come up in the field of forensic serology.

18 Q In the past, have you held any position within that

19 organization?

20 A I have been chairman of that group.

21 Q Do you regularly subscribe to any scientific literature

22 which deals with the field of serology?

23 A Along with the membership in the California Association

24 of Criminalists, I get the Journal of Forensic Science

25 Society. Along with that, the laboratory that I work

26 for gets a number of journals concerning forensic

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25
- 26

(No omissions.)

007568

3 | A Yes, I am.

5 A That applies to the various markers, including the  
6 ABO or common blood type system which occur in the  
7 body which may be different for different individuals.

10 A. Yes, it is.

13 | A. Yes, they do.

16 A Yes, I was.

19 A. Yes, they are.

24 Q Well, first let's start with the enzyme itself. Do all  
25 individuals have, for example, certain enzyme types in  
26 their body?

007569

1 A Okay. The great majority of people do. There are some  
2 people who are genetically lacking some of the enzymes.

3 Q Among the people that do have the enzymes, do they all  
4 have the same enzyme type? For example, is everybody a  
5 PGM Type 1?

6 A No, sir.

7 Q Now, in the past, as a criminalist, have you examined  
8 samples of whole blood to determine their ABO blood  
9 type?

10 A Yes, I have.

11 Q Using what procedure and method?

12 A Basically, something called the slide agglutination  
13 technique.

14 THE COURT: I'm sorry. Say that again.

15 THE WITNESS: Slide agglutination technique.

16 Q BY MR. KOCHIS: And briefly, Mr. Gregonis, how is that  
17 technique employed?

18 A Okay. Briefly, what is done is you take a -- the blood  
19 itself. You centrifuge it. You separate the serum  
20 from the red blood cells. You then react the serum  
21 against known ABO blood and look for agglutination or  
22 clumping of the red blood cells in one of those, or  
23 two of those. Then you take the red blood cells and  
24 you react that against known anti A, anti B, anti H  
25 and what's called anti A "comma", B antisera, and then  
26 again look for clumping or agglutination.

0007570

3 A. Okay. When you're using it on the red blood cells  
4 from the unknown blood, you're looking for what's  
5 called the antigen. If you get, for instance, a  
6 reaction or clumping in the well where you put the  
7 anti A antisera, that indicates that there's an  
8 antigen present, and so on and so forth for the  
9 anti B, anti H and anti A "comma", B. As far as the  
0 reverse or the one where you're using the serum from  
1 the individual's blood, you're looking for the anti-  
2 bodies, and that will -- you're looking -- say, if a  
3 person has A blood, he will have the B antibody in his  
4 own blood, and that will react against the B cells that  
5 you add in.

16 Q Mr. Gregonis, you have used two terms, antigen and  
17 antibody. Could you define for the Court what each  
18 one of those consists of and how they in fact differ  
19 from each other in terms of function?

20 A. Okay. The basic definition of antigen and antibody  
21 can kind of go together. An antigen is a substance  
22 which, when introduced into a body, elicits either  
23 a protein or something like that. It will elicit  
24 what's called the immune response. The immune response  
25 is simply the production of antibodies.

26 Q Is a person's ABO blood type determined genetically?

007571

1 A Yes, it is.

2 Q And does a person's blood type change during the course  
3 of their life?

4 A No, sir, it does not.

5 Q Approximately how many times have you performed the  
6 tests you've just described to the Court on samples  
7 of whole blood to determine the person's ABO blood  
8 type?

9 A It has to be a thousand, maybe two thousand times.

10 Q Have you likewise testified in a court of law in this  
11 state as an expert in ABO blood typing in the past?

12 A Yes, sir, I have.

13 Q Approximately how many times?

14 A Approximately 90 times.

15 Q Turning your attention to the enzymes, starting first  
16 with the enzymes which are often placed in what has  
17 been referred to as the Group I category, specifically  
18 which two enzymes are we talking about?

19 A As far as the way I run the test, we are talking about  
20 one enzyme called EsD and the other one called PGM.

21 Q Is there a particular test you use to determine what  
22 a person's EsD and PGM enzyme type are?

23 A That would be the electrophoresis method which has  
24 been called the Group I.

25 Q Would you tell this Court what electrophoresis  
26 consists of.

A. Okay, out you want to put their election the way on the negative separate

Q. What particular type of gel do you use on the Group I system?

A. This is a gel that consists of a one percent agarose  
and one percent starch.

Q And essentially is the gel something you make in the  
laboratory?

A Yes, it is.

Q Do you start with a beaker and some type of water?

A. Okay. We start with a -- if you will, a beaker and what's called a buffer along with the dried starch and the dried agarose.

Q. And then do you follow a schedule adding certain things to the buffer?

A Okay. Basically, the only thing that's added to the buffer at this point is starch and agarose.

Q. And then do you heat the mixture?



1 A We heat the mixture up to -- the agarose and starch  
2 dissolve at approximately 95 to a hundred degrees  
3 Celsius, which would be about 212 degrees Fahrenheit.

4 Q And then at some time after that, you pour it on a  
5 glass plate?

6 A Yes, we do.

7 Q And then after you pour the gel on a glass plate,  
8 do you allow it to sit so that it solidifies and forms  
9 this gel-like substance?

10 A Yes, we do.

11 Q Then do you take some type of sharp instrument, for  
12 example, a razor and cut various slots in the glass  
13 itself?

14 A It's a slot maker that's made for the technique itself,  
15 yes.

16 Q And then in the slots do you place what are known as  
17 standards?

18 A In some of the slots, yes.

19 Q And could you tell this Court what a standard consists  
20 of. For example, which one of the enzyme tests do you  
21 as a matter of habit run first?

22 A As far as the Group I?

23 Q Yes.

24 A Okay. As far as that is concerned, the one I develop  
25 first is the EsD. After that is developed and read,  
26 I will develop the PGM.

0007574

2 | A. Yes, I do.

5 A They are put on known slots that we keep a log of the  
6 run itself where each sample is.

8 A. The standard in electrophoresis consists of known  
9 enzyme types. In this case I use a standard which is  
10 a known EsD and a known PGM type. This is simply  
11 to see where the various bands appear, that they are  
12 all there, and they are all separated properly.

14 A. The standard I get either from people in the laboratory  
15 which I've typed before or I get them from SERI,  
16 Serological Research Institute, in Emeryville,  
17 California.

19 A. The person in charge of that laboratory is a person  
20 named Brian Wraxall.

22 A. Yes, it is.

23 Q Once you have the standards on certain slots on the  
24 glass plate, do you then take various unknown blood-  
25 stains that you have in your possession but whose  
26 genetic content you're not aware and place those on

1 other slides?

2 A Yes, I do.

3 Q If your sample is a whole blood, what procedure is  
4 involved in taking whole blood of which you do not  
5 know the genetic composition and placing it on the  
6 appropriate slot in the tray?

7 A There's one of two things that you can do. First of  
8 all, you can dry down the whole blood onto a piece of  
9 white cotton and make a stain out of that and run it  
10 just like any -- any other stain. If you -- you can  
11 also take the liquid blood after it has been washed,  
12 the red blood cells have been washed. You slice or  
13 break open the cells and then put that extract onto a  
14 piece of thread and put the thread into the slot itself.

15 Q After you had the standards on various slots on the  
16 tray and your unknown blood on various slots in the  
17 tray, what do you do with the tray next?

18 A The next thing that I would do is I would put it onto  
19 what's called a cooling platen. It's simply aluminum --  
20 a piece of aluminum with a circulating bath going  
21 underneath it to keep the plate itself cool during the  
22 analysis.

23 I will then put electricity across the plate  
24 itself, in this case for about three hours at 300 volts,  
25 take it off and then develop it.

26 Q After it is developed, how do you go about actually

65-157-59

1 reading what is on the plate?

2 A. Okay. Basically, it depends on the enzyme. The first  
3 one, EsD, would be developed by putting a filter paper  
4 overlap containing chemical called MUA on it over  
5 the plate in a certain area, letting it develop at  
6 room temperature for approximately 10 minutes, and then  
7 taking it into a darkened area and shining ultraviolet  
8 light onto the plate itself, whereupon you can see  
9 bands which fluoresce under the ultraviolet light.

10 Q. Is this essentially the same type of procedure you  
11 use when you run a stain to determine its PGM enzyme  
12 type, with the exception of using a different reagent,  
13 for example?

14 A. With the exception of using a different reagent, and  
15 also that the PGM is developed so that you can see it  
16 in regular daylight.

17 (No omissions.)  
18  
19  
20  
21  
22  
23  
24  
25  
26

0007577

1 Q Now, is this method, this use of electrophoresis,  
2 something you yourself developed?

3 A No, sir, it is not.

4 Q Are you aware of approximately when, through your  
5 reading in the literature, it was first developed?

6 A At least in the 1950's. It may be before that that it  
7 was developed for use.

8 Q Are you familiar, at least by name, of a person named  
9 Bryan Culliford?

10 A Yes, I am.

11 Q And do you know where in the '70's, through your reading,  
12 he was employed?

13 A He was employed at the London Metropolitan Laboratory.

14 Q And at that time did he involve in implementing this  
15 procedure into use in his laboratory?

16 A Yes, he did.

17 Q Are you aware of when the technique began to be employed  
18 in this country?

19 A Basically through probably 1965 on it started being  
20 employed in -- regularly in forensic work.

21 Q Approximately how many times in the past have you engaged  
22 in analyzing whole blood to determine, for example, its  
23 EsD and PGM enzyme type?

24 A That's, again, going to be in thousand.

25 Q Have you likewise testified before as an expert in  
26 courts in this state on PGM and EsD type analysis?

0007570

1 A Yes, I have.

2 Q Approximately how many times?

3 A I'd say around 80 to 90 times.

4 Q Mr. Gregonis, turning your attention for a minute to  
5 bloodstains, could you describe for the Court what the  
6 difference is between a bloodstain and a whole sample of  
7 blood.

8 Q Okay. Basically, bloodstain would be something which is  
9 deposited and has dried at some point. Whole blood is  
10 taken from -- directly from the vein, put into a vial.  
11 In this county we use what's called an EDTA blood vial  
12 for a standard.

13 Q For example, if you took an EDTA blood vial with an eye  
14 dropper, took blood out of it, placed it on a cloth, on  
15 a desk and on the wall and allowed it to dry, would  
16 those three situations be an example of bloodstains?

17 A Yes, they would.

18 Q Do you likewise engage in the analysis of bloodstains to  
19 determine the PGM, EsD enzyme type of the actual stain  
20 itself?

21 A Yes, I do.

22 Q Do you likewise use electrophoresis to make that  
23 determination?

24 A Yes, I do.

25 Q And in attempting to determine the EsD and PGM type of a  
26 bloodstain, would you likewise use the starch/agarose gel

0077579

- 1 medium?
- 2 A Yes, I would.
- 3 Q And in your reading, have you determined that you are the  
4 only person who uses electrophoresis to determine the  
5 EsD enzyme and PGM enzyme types of bloodstains?
- 6 A No, sir. There are many -- many more people that use it.
- 7 Q Returning to your serology study group, approximately  
8 how many people are members of that particular group?
- 9 A As I stated before, approximately 30 people in Southern  
10 California.
- 11 Q And are those individuals people who are employed by a  
12 forensic laboratory somewhere in Southern California?
- 13 A Yes, they are.
- 14 Q Do you talk to all those individuals?
- 15 A The majority of them, yes.
- 16 Q And, to your knowledge, do all of those individuals use  
17 electrophoresis in determining the EsD and PGM enzyme  
18 types, for example, of whole blood?
- 19 A All except for one person that I know, which they do not  
20 do electrophoresis, period. But the remainder do.
- 21 Q Likewise, with the bloodstains, with the exception of the  
22 one person who does not engage in electrophoresis, does --  
23 does every member of the serology study group use  
24 electrophoresis to determine the EsD and PGM enzyme types  
25 of dry blood?
- 26 A Yes, they do.

1 Q Mr. Gregonis, is it fair to say that the members of your  
2 serology study group are people who are employed by  
3 various law enforcement agencies throughout Southern  
4 California?

5 A Some are law enforcement; some are private laboratories.

6 Q Are you aware as to whether or not the use of electro-  
7 phoresis to determine enzyme type is limited to use by  
8 forensic scientists, specifically, people who work for  
9 law enforcement?

10 A It is not limited to strictly forensic scientists, no.

11 Q Is it -- is it employed by people in the medical field?

12 A Yes, it is.

13 Q Could you give me an example of such a person in another  
14 field.

15 A One person I know of is Dr. Robert Sparks at UCLA Medical  
16 Center. Another person I know of, she's a med tech  
17 specialist, is a girl named Barbara Bryan at University  
18 of California, Irvine.

19 Q Are you familiar with the term "proficiency testing"?

20 A Yes, I am.

21 Q And could you tell the Court what proficiency testing  
22 consists of.

23 A Basically what a proficiency test consists of is someone --  
24 a sample of blood or body fluid, unknown, basically, which  
25 is sent out to various laboratories, and the laboratory  
26 will analyze that blood in a blind or a -- not knowing

0027587



1        what it is, obtain the results that they get from their  
2        analysis, and then give the results back to the person  
3        who originally sent the bloods or blood or body fluid  
4        out to the laboratory.

5 Q Does your laboratory engage in proficiency testing, for  
6 example, for ABO blood typing?

7 A. Yes, we do.

8 Q And do you receive communication back from the person  
9 who submitted the sample to you as to how you performed  
10 on the test?

11 A Yes, we do.

12 Q Approximately how many times have you personally engaged  
13 in proficiency testing in your laboratory here in San  
14 Bernardino in terms of ABO blood analysis?

15 A. Okay. As far as individual proficiency tests that were  
16 sent out, it would be about 12 times.

17 Q And did you receive communication back as to how you  
18 performed on each of those 12 proficiency tests as to  
19 ABO blood typing?

20 | A. Yes, I did.

21 Q How did you perform?

22 A I got all of them right, none of them wrong.

23 Q And to clarify for the Court, essentially proficiency  
24 testing involves someone sending you a sample which has  
25 a particular ABO blood type on it; is that correct?

26 | A. Yes, sir.

3 A Yes, it has, yes.

6 A No, they do not.

11 Q And then you return all that information, the results of  
12 your tests to the person -- to the laboratory that sent  
13 you the sample in the first place?

17 | A Yes, sir.

20 | A. Essentially, yes.

24 A On the 12 proficiency tests, no, it is not.

25 Q What method did you use in those particular proficiency  
26 tests?

007503

1 A As far as the bloodstains were concerned, they are  
2 analyzed differently from the whole blood. And it's two  
3 methods. One is called the absorption-elution; the  
4 other one is called Lattes.

5 Q Could you explain to the Court, first of all, the  
6 absorption-elution method.

7 A Okay. The absorption-elution method, again, is looking  
8 for the antigen.

9 Q If I could stop you for a minute, Mr. Gregonis, by that,  
10 can we assume that when you engage in proficiency testing  
11 with ABO, it's always been a bloodstain and never whole  
12 blood; would that be fair to say?

13 A That is correct, yes.

14 Q If you could return now to your explanation of the  
15 absorption-elution method.

16 A Okay. Again, you're looking, as far as the absorption-  
17 elution, you're looking for the antigen in the bloodstain.  
18 What is done, a sample of this bloodstain is taken. You  
19 add, again, known anti-sera, anti-A, anti-B and anti-H  
20 to the blood stain itself. You then let that sit in a  
21 refrigerator for approximately four to six hours. If  
22 there is an antigen present, that will react with the  
23 antibody.

24 For instance, if you have an A blood stain, it will  
25 react the anti-A anti-sera. And -- what happens there  
26 is that the anti-A will be bound up by the A antigen on

0007584

1 the bloodstain.

2 You then take and you wash away any excess unbound  
3 anti-sera, leaving simply any reacted anti-sera, in the  
4 bloodstain, and that's about it.

5 You will then take this, add some saline to it, put  
6 it in an oven at approximately 58 degrees Celsius.  
7 What this does -- and that's over a time period of 15  
8 to 20 minutes. What that does is it frees the reacted  
9 antibody into solution.

10 And you take that, you add known A, B and O cells.  
11 If you have the freed antibody in there, for instance,  
12 in this case, the -- the A antibody which had reacted  
13 with the antigen, it will then be free to react with  
14 any known cells or red blood cells that you add to it.

15 So you add A, B and O red blood cells that will  
16 react with the A red blood cells and make for clumping  
17 or agglutination.

18 Q Returning, for a moment, to the technique you use to  
19 analyze whole blood to determine its ABO blood type,  
20 is that a method that you developed?

21 A No, sir, it is not.

22 Q Is that a method that is regularly employed by every  
23 member of your study group, serology study group?

24 A In some form or another, yes.

25 Q Is that a method that is limited in its application to  
26 people in the forensic science field?

007585

1 A No, sir, it is not.

2 Q Is it also used by hospitals?

3 A Yes, it is.

4 Q Blood banks?

5 A Yes, it is.

6 Q By people who do paternity testing?

7 A Yes, it is.

8 Q And have you read the literature that pertains to the re-  
9 liability and validity of this technique that you use  
10 for typing whole blood to determine its ABO blood type?

11 A Some of it, yes.

12 Q In which particular journals or books or handbooks have  
13 you done that reading?

14 A Okay. As far as whole blood typing, this is concerning --  
15 if I can think of the titles -- most of them deal with  
16 blood bank books. One is by Race & Sanger. Those are  
17 the authors. I do not recall the name of the book itself.  
18 There are also a number of blood bank books which give  
19 examples and techniques for doing ABO typing.

20 Q What is the definition in scientific terms of the term  
21 "reliability"?

22 A Reliability in scientific terms would be simply that you  
23 can reproduce, using the same methods, you can reproduce  
24 the results.

25 (No omissions.)

26

00075009

51

1 Q What does the word "validity" mean in scientific terms?

2 A Validity would mean that you can -- it's justifiable  
3 that you can apply the test that you're applying to  
4 the sample.

5 Q Based on the blood typing analysis that you have done  
6 with whole blood to determine the ABO blood type,  
7 based on your education and your experience and your  
8 conversations with members of your serological group  
9 and the reading that you've done, do you have an  
10 opinion as to whether or not the technique you employed  
11 is reliable and valid --

12 MR. NEGUS: Objection.

13 Q BY MR. KOCHIS: -- to determine the ABO blood type of  
14 whole blood?

15 MR. NEGUS: Objection. His opinion is irrelevant.  
16 I have not heard any foundation which would establish  
17 Mr. Gregonis as the sort of person who is qualified to  
18 give an opinion on scientific reliability.

19 People versus Kelly specifically goes to the kind  
20 of persons who can give such testimony. The example that  
21 they had in People versus Kelly was a man who was, similar  
22 to Mr. Gregonis, employed by a crime laboratory. He  
23 apparently had done more voice print comparisons than  
24 anybody in the known world, or at least he was certainly  
25 the person who had done the most of any -- of anybody. He  
26 had extensive training of the type that Mr. Gregonis has

00075007

1 in how to perform the techniques, but the court in Kelly,  
2 nonetheless, found that that individual was not qualified  
3 to testify on the Kelly-Frye issue because his qualifi-  
4 cations were those of a technician and law enforcement  
5 officer, not of a scientist.

6 Mr. Gregonis has his -- has a bachelor's degree  
7 in criminalistics, which is basically a field which  
8 technicians are involved in. He has testified to his --  
9 his technical experience in performing the experiments,  
10 but his only scientific background that I could recall  
11 was a course in basic chemistry, biochemistry and genetics  
12 at the undergraduate level, and that hardly qualifies him  
13 as a scientist.

14 MR. KOCHIS: Well, Your Honor, our position is  
15 Mr. Gregonis has a college degree, which I believe sets  
16 him apart from the expert in Kelly. He's also testified  
17 as an expert many times in this area. He does reading  
18 in the field. He is a member of a professional society  
19 that is involved in the field. He's been a chairman of  
20 that particular portion of the society in the past. He's  
21 done extensive research in the -- reading in the area in  
22 the past. He's done an extensive work in the area in the  
23 past in terms of the volumes of the samples that he's  
24 engaged in. He's engaged in proficiency testing as to  
25 dried blood, and he's never apparently made a mistake on  
26 that, and it is our position that we can call a number of

00007500

1 people to give their opinion. We don't intend, as they did  
2 in Kelly, to rest our position on the opinion of one  
3 particular expert, and we feel that Mr. -- the foundation  
4 has been established that Mr. Gregonis is a serologist.  
5 He's qualified as an expert in the past. He's certainly  
6 qualified to give an opinion as to the reliability and  
7 validity of a technique that he has read about, that he  
8 has employed himself over a thousand times, and that he  
9 has testified to as an expert over 90 times.

10 We're dealing with a field that is quite a bit  
11 different than voice print identification in that  
12 Mr. Gregonis has testified that literature indicates that  
13 it's used -- the technique is used in other fields --  
14 blood banks, genetics, and the medical field.

15 MR. NEGUS: Your Honor, Mr. Nash, the expert in  
16 Kelly, had done over a hundred and eighty thousand tests,  
17 I believe, if I recall correctly. He had taken courses  
18 in -- from all the leading experts in the field, Dr. Tosi  
19 and Mr. Kersta, in fact, including people that were  
20 pioneers in the field in terms of the actual technique.  
21 His education was -- was certainly broader than Mr. Gregonis'  
22 in terms of the -- of the underlying theories behind his  
23 technique; nonetheless, the court in Kelly said that on  
24 the issue of testimony on Kelly-Frye, it is an area where --  
25 and I'm quoting from page 139 -- in which only another  
26 scientist in regular communication with other colleagues in

000075009



28 Contrary to the Kelly case, I think there will be

007590

5-5

1 further evidence on the point, and I don't know what  
2 weight his testimony or opinion will have on the issue at  
3 the moment, but let's proceed on it. Overruled.

4 MR. NEGUS: Just so we don't have to go through  
5 this same dialogue every time that it comes up, can I have  
6 a continuing objection to Mr. Gregonis' qualifications to  
7 testify as a scientist on reliability of all these  
8 different techniques?

9 THE COURT: Yes. Proceed.

10 Q BY MR. KOCHIS: Mr. Gregonis, what is your impression --  
11 excuse me. I'll strike that. What is your opinion  
12 as to the reliability and validity of the technique  
13 to determine the ABO type of whole blood that you  
14 employed in this particular case?

15 A That it's reliable and valid.

16 Q Likewise, based on your experience, your education,  
17 your seminars, the work that you've done in the  
18 laboratory and the literature that you've read, do you  
19 have an opinion as to the reliability and validity of  
20 the two techniques that you employed in this particular  
21 case to determine the ABO type of a bloodstain?

22 A Yes, sir, I do. They are both reliable and valid.

23 Q Before we move to the enzyme typing, could you explain  
24 briefly to the Court what the Lattes procedure consisted  
25 of.

26 A Okay. The Lattes procedure is looking for the

0007561

5-6

1 antibody, and simply what you do is you take a  
2 bloodstain and add dilute suspension of known cells,  
3 ABO. If the bloodstain contains the A or the A  
4 antibody, it will react and clump the A cells that  
5 you add to it, and so on and so forth for the B or  
6 the anti B. If a bloodstain contains the anti -- or  
7 the B antibody, it will clump the B cells.

8 Q Likewise, Mr. Gregonis, do you have an opinion as to  
9 the acceptance in your community, the community of  
10 your friends that are serologists, as to the  
11 reliability and the validity of the technique you  
12 employed in typing whole blood to determine its ABO  
13 blood type?

14 A It's accepted both as reliable and valid for typing  
15 bloodstains as far as the ABO blood type.

16 Q In the forensic community?

17 A In the forensic community, yes.

18 Q And through your reading and your conversations, do  
19 you have any knowledge as to whether or not people in  
20 the medical field, for example, people who work with  
21 blood banks and paternity testing, accept the method  
22 that you employed to determine ABO blood type of  
23 whole blood as valid and reliable?

24 A Yes, they do.

25 Q Do they in fact use the same technique?

26 A Or variations of, yes, same principles involved.

5b

2025-7-23

3 | A Very rarely will they do such thing, not normally.

12 A Yes, they do consider it valid and reliable for whole  
13 blood.

18 A Again, they generally consider it reliable and valid  
19 as to the determination of bloodstains for EsD and  
20 PGM.

25 A. Yes, they do.

26 (No omissions.)

007593

1 Q And are you aware of whether or not in his community,  
2 the medical community, medical doctors in their  
3 laboratories use electrophoresis to determine the  
4 enzyme type, for example, P -- PGM and EsD of whole blood?

5 A Yes, they do.

6 Q And do you have an opinion as to whether or not the  
7 literature indicates that, within that community, that  
8 community accepts electrophoresis as a valid and reliable  
9 means of determining the EsD and PGM enzyme type of whole  
10 blood?

11 A Yes, they do.

12 Q What is the difference, if any, that's involved in testing  
13 a particular bloodstain to determine its EsD or PGM  
14 enzyme type, that difference being difference from the  
15 steps you must take to determine the EsD and PGM enzyme  
16 content of whole blood?

17 A There's not really a big difference. The -- the biggest  
18 difference, I would say, is for bloodstains you must get  
19 them in the solution first, whereas the blood or whole  
20 blood itself is already into a solution, liquid form.

21 Q How do you get a bloodstain into a solution so you can  
22 place it on the plate?

23 A Basically what I'll do is I'll put the bloodstain into a  
24 what is called a reducing reagent in the EsD and PGM.  
25 It's something called the Cleland's reagent. And that  
26 essentially brings the enzymes back to their original

000775674

2 Q Are the steps that you take before you put the unknown  
3 blood samples on the plate the same whether you're going  
4 to run a plate for whole blood or dry blood? By that  
5 I mean, for example, for the Group I enzymes, and let's  
6 take PGM<sub>1</sub>, do you use the same gel for a whole blood  
7 sample as you would a bloodstain?

9 Q Use the same type of plate?

11 Q Use the same electrical charge?

13 Q Use the same -- what's the stain called? I forget.  
14 What for the PGM? Not the stain but the overlay.

16 Q Use the same reaction?

18 Q And, likewise, for the PGM, other than getting the blood-  
19 stain into a liquid form to put on the plate, are the  
20 steps the same in analyzing whole blood from dry blood?

22 Q And this all involves the principal of electrophoresis?

24 Q Now, essentially with all the group systems, the Group I,  
25 the Group II, the Group III and the Group IV, do you use  
26 electrophoresis to attempt to determine the enzyme type of

007595

2 A Yes, I do.

6 A Yes, it is. It uses a different buffer or liquid, and  
7 it uses a 10 percent starch rather than a one percent  
8 agarose-one percent starch solution.

10 | A. It's a starch gel.

14 | A Essentially, yes.

18 A Yes, we do.

20 A Same -- well, as opposed to the Group I and Group II?

22 A They are -- well, first of, the -- the starch, as opposed  
23 to the starch/agarose.

26 A Yes, we do, exactly the same.

007596

- 1 Q And the machine that you use is the same for both groups?
- 2 A Yes.
- 3 Q Likewise, with the Group III and the Group IV systems,
- 4 is the technique essentially the same?
- 5 A Essentially the same, yes.
- 6 Q How does the technique for the Group III system differ,
- 7 if it does differ, from the technique that you have
- 8 described for the Group I system?
- 9 A The development is slightly different. But, other than
- 10 that, the -- there is one thing in the group -- or, in
- 11 the Group III which is called haptoglobin which is done
- 12 in a different manner. It's done with a what's called a
- 13 gradient acrylamide gel, which is -- most all the Group I,
- 14 Group II, Group III and Group IV are done on a horizontal
- 15 surface, whereas what -- the haptoglobins will be done
- 16 on a vertical surface.
- 17 Q Mr. Gregonis, at the time that you were doing the work
- 18 in this case, were you running the haptoglobin separately
- 19 as almost a fifth system or were you running them together
- 20 with the Group III system?
- 21 A Essentially I run separately.
- 22 Q And when you did the work in this system -- in this case,
- 23 excuse me, you got to the Group III system, did you use
- 24 one gel to do the transferrin and the GC?
- 25 A Yes, I did.
- 26 Q And did you make those readings essentially off the same

007567



1 plate?

2 A Yes, I did.

3 Q Now, in -- is there any particular term that applies to  
4 getting more than one reading off the same plate? Is  
5 that referred to as a multi-system?

6 A A multi-system approach, yes.

7 Q Are you the only person in the field of forensic serology  
8 that uses a multi-system?

9 A No, sir.

10 Q To your knowledge, is a multi-system used by the other  
11 serologists in your study group that engage in electro-  
12 phoresis?

13 A Yes, it is.

14 Q And to your knowledge, are you the only 40 people in this  
15 country that uses a multi-system in electrophoresis?

16 A No, sir, we're not. There are others that use it.

17 Q Other people in other law enforcement agencies in other  
18 states that use a multi-system?

19 A Yes, sir.

20 Q Likewise, in the -- in the medical field, for example,  
21 with Dr. Sparks, does his laboratory use a system which  
22 allows them to run more than one enzyme type of a particular  
23 plate?

24 A Yes, they do.

25 Q Do they use the exact same multi-system that you use?

26 A No, sir, they do not.

00075688

5 A Yes, it is accepted.

9 | A No, sir, it was not.

13 A No, sir.

17 A No, sir, we were -- were not.

20 A No, sir.

21 Q Likewise, any of the stains?

22 | A. No.

23 Q Did you do any PGM subtyping on any of the stains?

24 A. No, sir.

25 MR. KOCHIS: Your Honor, I was wondering if we could  
26 take a recess at this point.

007599

**2 (Recess.)**

4 Q (BY MR. KOCHIS:) Mr. Gregonis, in this case, did you  
5 conduct a serological analysis of at least six known  
6 blood types, whole bloods?

8 Q For example, did you conduct an analysis of the blood of  
9 each of the victims, that being Christopher Hughes,  
0 Joshua Ryen, Jessica Ryen and both Mr. and Mrs. Ryen?

12 Q Did you likewise conduct an analysis of the whole blood  
13 sample from the defendant in this case, Mr. Cooper?

15 Q And did you analyze each of those six samples to determine  
16 their ABO blood types and in the procedure that you have  
17 previously described?

19 Q And referring to the enzymes, did you examine each of  
20 the six whole blood samples to determine their Group I  
21 enzyme type, the EsD and the PGM?

23 Q And did you analyze each one of the six whole blood  
24 samples to determine their type of Group II enzymes, the  
25 EAP, the AK and the ADA?

007600

- 1 Q And, likewise, did you analyze each of the six whole  
2 blood samples to determine the particular serum protein  
3 type, the transferrin and the GC, the Group III system?
- 4 A No, sir. I analyzed only Mr. Cooper's blood for those.
- 5 Q Did you analyze any of those six samples to determine  
6 the enzyme types that fall in the Group IV category,  
7 the peptidase A and the CA II?
- 8 A Yes, I did.
- 9 Q Which ones?
- 10 A Both. All -- I analyzed all six for those.
- 11 Q And then at that time you were running the haptoglobin  
12 as a separate system?
- 13 A Yes, I was.
- 14 Q And did you run all six samples to determine the hacto-  
15 globin type or just Mr. Cooper's?
- 16 A Just Mr. Cooper's.
- 17 Q Now, does your laboratory engage in proficiency testing  
18 as to the enzyme types themselves?
- 19 A Yes, we are.
- 20 Q For example, have you engaged yourself in proficiency  
21 testing on the Group I enzymes?
- 22 A Yes, I have.
- 23 Q Approximately how many times?
- 24 A Again, probably -- about 12 times.
- 25 Q And what were the results of your examinations under  
26 the proficiency tests?

007601

- 1 A I got them all right.
- 2 Q Likewise, do you personally engage in your laboratory  
3 in proficiency testing for the enzymes in the Group II  
4 system?
- 5 A Yes, sir.
- 6 Q About how many times?
- 7 A I believe that would be -- I don't believe I did those  
8 on the first sample, so it would be 11 times.
- 9 Q And what were the results?
- 10 A I got them all right.
- 11 Q The Group III system, do you engage in proficiency testing  
12 on the enzyme -- serum proteins within that group?
- 13 A Yes, we do.
- 14 Q About how many times have you participated in proficiency  
15 tests in that group?
- 16 A Believe it's two times.
- 17 Q And what were the results?
- 18 A I got them all right.
- 19 Q Do you engage in proficiency testing in the enzymes found  
20 in the Group IV system, the PEP A and the CA II?
- 21 A Yes, sir.
- 22 Q How many times have you personally engaged in proficiency  
23 testing for those enzymes?
- 24 A Approximately four times.
- 25 Q And what were the results of the proficiency tests?
- 26 A I got them all right.

0007502

25 A. There is some research for stains. However, I have only  
26 seen it done on whole blood -- on whole blood and also

007603

1 have only seen it done in case work on whole blood.

2 Q And is that particular procedure accepted within the  
3 community of forensic serologists as a valid and reliable  
4 method of determining from a person's blood, red blood  
5 cells, whether or not they're secretors?

6 A Yes, it is.

7 Q Can you explain to the Court if a person is a secretor  
8 what type of information that may give you as to the  
9 person's blood type being manifest in other body fluids.

10 A Okay. If a person is a secretor -- first of all, "secre-  
11 tor," "non-secretor" applies only to the ABO blood group  
12 system, not to the enzymes, not to the serum proteins.  
13 If a person is -- is a secretor, he will secrete his  
14 blood group antigens, his ABO blood group in the body  
15 fluids other than blood. That includes semen, saliva,  
16 perspiration and even gastric juices.

17 As far as -- as an example, I myself, what I'm  
18 called is an A secretor, which means I'm an ABO Type A  
19 in my blood; I am also a secretor. So I will have in  
20 other body fluids, my semen, saliva, what are called the  
21 A and H antigens.

22 Q Then in theory it's possible to get an indication as  
23 to whether or not you have left saliva at a particular  
24 location by testing that; is that true?

25 A Part of the test, yes.

26 Q Now, in this particular case, did you perform the test

0079004

1       that you have described on the six whole blood samples,  
2       the five victims and the defendant, to determine whether  
3       or not they were secretors?

4   A   Yes, I did.

5   Q   And, likewise, did you test numerous bloodstains that  
6       were submitted to your laboratory in this case?

7   A   Yes, I did.

8   Q   And, specifically, did you test stains that were removed  
9       from a hatchet?

10  A   Yes.

11  Q   From a button?

12  A   Yes, I did.

13  Q   From a nylon rope?

14  A   Yes, I did.

15  Q   And a stain that was found on the wall of the Ryen home?

16  A   Yes, I did.

17  Q   And did you test all those stains to determine, for  
18       example, their ABO blood type?

19  A   Yes, I did.

20  Q   And did you test those stains to determine what their  
21       enzyme types were using the Group I through Group IV  
22       systems and testing for the haptoglobin where possible?

23  A   On all the bloodstains that you mentioned, if there was  
24       quantity enough, I tested for all the enzymes. As far  
25       as the serum proteins including the haptoglobin, the  
26       item marked A-41, the bloodstain from the hallway in the

000719025



Ryen home was the only bloodstain that I tested for.

(No omissions.)

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26

0079009

7-1

1 Q In this particular case, did you perform an examination  
2 on a stain from a green blanket that was removed from  
3 the Lease house?

4 A Yes, I did.

5 Q And did you conduct a test on the semen stain to  
6 determine whether or not the person who deposited that  
7 stain was a secretor or not?

8 A Yes, I did.

9 Q What type of test did you perform?

10 A This is a test called the absorption-inhibition test.

11 Q And what does that test consist of?

12 A Okay. Basically, you're detecting again the ABO  
13 antigens, and you're looking for either secreted  
14 antigen or a person who is a non-secretor.

15 Do you want the procedure also?

16 Q If you could briefly explain the procedure.

17 A Okay. My procedure, as I do it in the laboratory,  
18 first take the stain and the approximate size of the  
19 stain, and put it into 15 drops of saline. This  
20 essentially elutes any of the stain into the saline  
21 itself and gives all the blood substances and  
22 everything into that saline. I then centrifuge the  
23 tubes to get rid of any cellular material. I will  
24 then take extracts or a drop of each extract and simply  
25 mix that with known anti A, anti B and what's called  
26 anti H antiserum in this particular test. If the

007607

11 For instance, if I put my own saliva in there, it  
12 will bind up the A -- or the A antibody, and once I  
13 put in the A cells, that A antibody will not be  
14 available to react with the A cells that I put in, and,  
15 therefore, when I mix it, it will not clump. If I were  
16 to not be a secretor, in that same instance, and put  
17 that in there, I would not have the A antigen present;  
18 therefore, the A antibody would be free to react with  
19 the known red blood cells that I put in, and it would  
20 clump.

21 Q. Mr. Gregonis, the technique that you employed to  
22 determine whether or not the stain, semen stain on the  
23 blanket, was left by a person who is a secretor or not,  
24 was that a technique that is accepted in your community,  
25 the community of forensic serologists, as a valid and  
26 reliable means of testing a semen stain to determine if

007608

2 | A. Yes, it is.

7 | A Yes, I did.

A It is a test that determines the presence of amylase or not, and it is a test that is called phaedebas test. What happens in this technique is that amylase itself, which is an enzyme found in saliva in a large quantity, is designed to break up starch into smaller molecules. What this test does is it takes the starch molecule bound with a blue dye, and you mix in an extract of your stain. If there's amylase present, it will react, break apart the starch and free the blue dye into solution, and you essentially get a blue colored solution if there's amylase present.

24 | A Yes, it is.

25 Q And for us laymen, what is the significance of finding  
26 amylase on an object such as that?

007609

- 1 A Okay. Not necessarily just finding amylase, but  
2 finding amylase in a high quantity is a good  
3 indication that you have saliva present.
- 4 Q What is the technique that you employed on the  
5 cigarette butt to determine whether or not the  
6 individual was a secretor and, if so, what his ABO  
7 blood type was?
- 8 A Okay. Again, the -- that would be the absorption-  
9 inhibition test.
- 10 Q The same test that you performed on the blanket that  
11 had what appeared to you to be the semen stain?
- 12 A Yes, sir.
- 13 Q And is that absorption-inhibition test accepted  
14 within your community of forensic serologists as a  
15 reliable means for testing for saliva stains as well  
16 as semen stains?
- 17 A Yes, it is.
- 18 Q In this particular case, did you likewise attempt to  
19 determine what the enzyme types were that were found  
20 in the semen on the blanket?
- 21 A Yes, I did.
- 22 Q And is it possible to make that determination from a  
23 body fluid such as semen?
- 24 A Yes, it is.
- 25 Q And what types of enzymes did you look for in this  
26 particular case on the blanket?

007610

1 A Okay. First of all, the two enzymes that I analyzed  
2 for that are present in high enough quantity in semen  
3 to analyze are the PGM and the peptidase A, and those  
4 are the ones that I looked for in the semen.

5 Q Were those the only enzymes you looked for in the  
6 semen stain?

7 A Those are two that I was definitely looking for. I  
8 also tested for the CA II and the EsD, although I  
9 did not at the time expect to find those, because they  
10 are not present in very high quantity.

11 Q And to determine what type of enzymes exist in a semen  
12 stain, do you use electrophoresis as you do in blood-  
13 stains?

14 A Yes, I do.

15 Q And once you get the sample on the plate, by that,  
16 I mean the semen sample, is the procedure essentially  
17 the same as the procedure you follow with a bloodstain?

18 A Yes, it is.

19 Q And is that the procedure that you employed in this  
20 case?

21 A Yes, it is.

22 Q Within your community, the community of forensic  
23 serologists, is the use of electrophoresis accepted  
24 as a reliable and valid means of determining what the  
25 enzyme types are in semen stains?

26 A Yes, it is.

0007611

1 Q Mr. Gregonis, based on the reading that you've done,  
2 the conversations that you have had, your education  
3 and your experience, do you have an opinion as to  
4 whether electrophoresis, starch gel electrophoresis,  
5 is a valid and reliable means of determining the  
6 enzyme types that existed in bloodstains?

7 MR. NEGUS: Objection, irrelevant for essentially  
8 the reasons stated before.

9 THE COURT: Overruled.

10 THE WITNESS: Yes, I do.

11 Q BY MR. KOCHIS: And what is your opinion?

12 A. That would be that it's very reliable and very valid  
13 for the examination of bloodstains and semen stains  
14 for the presence of enzymes and serum proteins.

15 Q Mr. Gregonis, are you familiar with a term "population  
16 genetics"?

17 A. Yes, I am.

18 Q And to what does that apply?

19 A That applies to the distribution of various factors  
20 or traits in the general population.

21 Q Is a person's enzyme type, for example, EsD enzyme  
22 type or PGM enzyme type, related in any fashion to  
23 their ABO blood type?

24 | A No, sir, it is not.

25 Q Likewise, is a person's PGM enzyme type related in  
26 any fashion to their EsD enzyme type?

007612

2 Q Are there any of the enzymes that you've testified to  
3 in this case that you tested for in this case that  
4 are related to each other. By that, I mean that have  
5 any particular -- for example, CA II enzyme type would  
6 cause you automatically to have a particular ESD  
7 enzyme type?

9 Q Now, have studies been conducted by individuals in the  
10 field of serology as to the frequency with which  
11 particular genetic markers appear?

13 Q For example, do geneticists and people that work in  
14 paternity keep statistics on the frequency of occurrence  
15 of a particular ABO blood type?

17 Q And do people in those fields keep track of the  
18 frequency with which a particular EsD enzyme type  
19 appears in a given population?

21 Q Have you relied -- or did you rely on particular  
22 persons and their studies when you gave your opinion  
23 at the preliminary hearing in this case?

25 Q And what studies specifically did you rely upon?

28 A The biggest study that I relied upon was one by



1 Dr. Grunbaum where he analyzed 22 various factors in  
2 the blood.

3 Q And by factors, are you talking about not only ABO  
4 blood type, but enzyme type?

5 A Yes, sir.

6 Q And did he conduct a study to determine the frequency  
7 in certain populations by which those factors appear?

8 A Yes, he did.

9 Q Are you familiar with the term "power of discrimination"?

10 A Yes, I am.

11 Q And to what does that term apply?

12 A Discrimination power would apply to if you take a  
13 system, what is the probability that you can take two  
14 random individuals and discriminate between them using  
15 that system.

16 Q Returning again to the -- to the topic of population  
17 genetics, is there a procedure, a mathematical procedure,  
18 by which one can determine or estimate the frequency  
19 of occurrence of a particular genetic makeup within a  
20 given population? And by that, I mean is there a  
21 procedure that you employ to determine, for example,  
22 how many times within a given population of a thousand  
23 people a particular genetic makeup will occur, for  
24 example, the same ABO type, EsD type, PGM type, all  
25 the way through the four groups?

26 A Yes, there is.

(No omissions.)

00007614

1 Q And how is that particular procedure employed?

2 A Basically just to take the population that you have, say,  
3 eight thousand people, and analyze for any number of  
4 systems that you're looking for and find the percent or  
5 numbers that you have -- that have a particular type.

6 Q For example, the tables that you used that were published  
7 by Dr. Grunbaum, did they indicate the frequency within  
8 given populations of, for example, how a particular EsD  
9 enzyme type will occur?

10 A Yes, he did.

11 Q And a particular CA II enzyme type?

12 A I don't believe that he has included CA II. I believe  
13 I got that one from another publication.

14 Q Are there any of the enzyme types that are related to  
15 race?

16 A Yes, there are.

17 Q Which particular enzymes?

18 A As far as enzymes go, that would include the peptidase A,  
19 the CA II, and also the G6PD.

20 MR. KOCHIS: Your Honor, I have no further questions  
21 at this point.

22 THE COURT: Mr. Negus.

23

24

CROSS EXAMINATION

25 BY MR. NEGUS:

26 Q When you gave your opinion that the different tests that

007615

4 Is your -- is your opinion that the tests are  
5 reliable a -- a group opinion or do you have an opinion  
6 as to each of the tests individually?

8 Q In -- in coming to an opinion as to whether a particular  
9 technique is reliable for a particular enzyme in a  
0 particular -- particular body fluid, there's a bunch of  
1 factors that -- that have to be considered; is that right?  
2 I mean, there's more than just --

14 Q And those factors will vary with, for example, whether  
15 your sample is gathered at a crime scene or whether it's  
16 gathered in a laboratory?

19 Q So you -- so you, if you're looking at -- at -- if you're  
20 analyzing blood, whole blood that you scoop up at a crime  
21 scene, there may be different factors as -- as to  
22 reliability as opposed to whole blood which is taken  
23 from a person's arm and put into a test tube in a  
24 laboratory?

26 Q One of the factors that differen -- differentiates

007616

1       between crime scene blood and laboratory blood is, on  
2       the one hand, you have unknown contamination, on the  
3       other hand, steps are taken to make sure that the sample  
4       is pure; is that true?

5   A.   Depends on the studies that are done. Basically the --  
6       the studies in the laboratory may include various things  
7       where you have contaminants. Obviously if you're dealing  
8       with a whole blood out of a sterile vial, that is  
9       different and not as contaminated as, say, a blood stain  
10      on a wall someplace.

11   Q.   Well, let's just take the difference between, say, a  
12      crime scene sample, and you mentioned medical laboratories.  
13      In a medical laboratory, there would be an attempt made  
14      to make sure that the -- the blood sample was pure; is  
15      that correct?

16   A.   That is true, yes.

17   Q.   In a crime scene blood sample, there would also be --  
18      you would have the difference that there was -- you would  
19      know the different physical conditions -- excuse me, you  
20      would not know the different physical conditions under  
21      which the blood had undergone, like, heat, temperature,  
22      duration, various things like that, whereas, in a medical  
23      laboratory, they would know precisely when it was drawn  
24      and under what conditions it was stored; is that correct?

25   A.   For the most part, yes.

26   Q.   Similarly, in a crime scene blood stain, you would not

007617

1 know whether or not the blood had undergone any  
2 deterioration, whereas, in the laboratory, medical  
3 laboratory, there would be an attempt made to make sure  
4 that the blood was well preserved; is that true?

5 A As far as before you collect it, yes.

6 Q In a crime scene situation, it would be unknown whether  
7 the blood was in fact blood or a mixture of fluids or  
8 what it was when it was collected; is that correct?

9 A At the time of collection, no. But after the analysis,  
10 I think that you can make some statements as to whether  
11 it is a mixture of blood or mixture of fluids or not,  
12 yes.

13 Q Well, that depends on the analysis, whereas, in a medical  
14 laboratory, if it were blood, they would know it was  
15 blood; is that correct?

16 A Yes, I would hope so.

17 Q And in a crime scene, you would not be aware if there  
18 was a mixture of body fluids from different individuals  
19 or if it all came from the same individual; is that  
20 correct?

21 A Are you talking at the initial sampling or at the --  
22 after the analysis?

23 Q At the time the sample is -- at the time when -- the  
24 time the sample comes into your laboratory, when you have  
25 to start analyzing it, you don't know?

26 A Okay. Before the analysis, no, you don't know that.

007618

1 Q Whereas in a medical laboratory efforts are made to make  
2 sure that you don't mix up one person's blood with  
3 another?

4 A That is correct, yes.

5 Q Do all the -- there's also a difference, is there not,  
6 in the chemical environment in which a crime scene  
7 sample is kept as opposed to the chemical environment  
8 which a laboratory sample is kept; is that correct?

9 A As opposed to a whole blood sample, yes.

10 Q In the whole blood there would be some sort of anti-  
11 coagulant added which wouldn't be true in a crime scene  
12 sample?

13 A Generally, yes.

14 Q Do all those factors that I just mentioned with respect  
15 to crime scene versus medical laboratory samples affect  
16 the reliability of the testing?

17 A They can, yes.

18 Q With respect to individual markers, that is, the  
19 individual enzymes, proteins, antigens that you have  
20 described within the human body, there's hundreds of those  
21 different markers; is that correct?

22 A Yes, there are.

23 Q And they all vary in their reliability as far as forensic  
24 uses is concerned; is that true?

25 A I would say you -- they vary in their reliability to some  
26 extent. But, however, they are all reliable.

007679

- 1 Q All 150?
- 2 A Well, no. I'm talking about the ones that I analyze.
- 3 Q Okay. But in -- in -- in picking the ones that you
- 4 analyzed, you're picking out of a larger -- larger group
- 5 of polymorphous markers; is that correct?
- 6 A That is correct, yes.
- 7 Q And the -- even amongst the -- the ones that you analyze,
- 8 the different markers vary in terms of their -- how long
- 9 they will persist in both room temperature, dry, wet,
- 10 frozen states; is that correct?
- 11 A Yes, they do.
- 12 Q They also vary in the types of changes that they will
- 13 undergo both in preserved and non-preserved states; is
- 14 that correct?
- 15 A Yes, they do.
- 16 Q And they even vary amongst the types of changes that they
- 17 will undergo in a wet state and vary in the types of
- 18 changes they will undergo in a dry state; is that correct?
- 19 A Mostly in regards to speed of degradation, yes.
- 20 Q Well, they will also -- I mean some -- some particular --
- 21 some particular enzymes will, in a -- a wet state, will --
- 22 will change by the protein, the enzyme undergoing a
- 23 reaction with glutathione and having its charge changed
- 24 through the formation of mixed disulfides; is that
- 25 correct?
- 26 A It happens in the dry state also. It just happens in the

00079200

1 wet state faster.

2 Q In the wet state some will do that, right?

3 A Yes, they will.

4 Q Some won't?

5 A That is correct, yes.

6 Q So the proteins will vary in the types of chemical  
7 changes that they'll undergo; is that correct?

8 A Yes, sir.

9 Q And some proteins will undergo some changes in a wet  
10 state that they wouldn't undergo in a dry state; is that  
11 correct?

12 A I would say again it depends on the -- on the speed  
13 and what you're calling dry and what you're calling wet.  
14 The relative humidities, what you're looking at, if it's  
15 obviously moist, then you're looking at the speed of the  
16 degradation. If it's dry but you're talking about a 10  
17 percent humidity as opposed to a 1 percent humidity or  
18 something like that, then there are -- there are changes  
19 that are similar.

20 Q Well, what -- what -- in the literature is there  
21 recognized a relative humidity which basically distinguishes  
22 wet from dry?

23 A Not that I recall, no.

24 Q Are you familiar with the work of a man named George  
25 Sensabaugh?

26 A To some extent, yes.



17  
18  
19  
20  
21  
22  
23  
24  
25  
26

1 Q Are there any substrates that will affect different  
2 enzymes in different manners?

3 A As far as different from what I just stated, no, not  
4 that I know of.

5 Q The reliability of the different enzymes, proteins,  
6 what have you, as markers also varies with differences  
7 in their chemical structures; is that true?

8 A The reliability of the testing? Is that what you're  
9 getting at?

10 Q Yeah. The reliability of a marker as something that  
11 can be used in forensic serology will vary with its  
12 chemical structure; is that true?

13 A That is true, yes.

14 Q You will have different problems of reliability with  
15 markers that undergo -- well, back up. The difference  
16 between, say, one particular phenotype of an enzyme  
17 and another could be a difference of a single amino  
18 acid in the molecular structure of the protein; is  
19 that right?

20 A That is correct, yes.

21 Q And the nature of that particular kind of substitution  
22 will affect the -- how reliable a particular marker is  
23 for forensic work; is that true?

24 A I don't think I can agree with the statement in that  
25 context. I don't know what -- I don't understand your  
26 question.

00071927

4 | A. Yes, sir.

6 | A. That is true, yes.

Q That is true.

12     A     It may change the different patterns that you see due  
13           to the difference in the substitution of the amino  
14           acids.

17 | A. It can, yes.

19 A It can affect the quantity that you find in the blood  
20 in the first place.

22 A. No, sir, it does not. It affects how much you're  
23 going to find and whether you're going to find it or  
24 not.

25 Q Will different phenotypes of a single enzyme vary in  
26 their rate of synthesis?

007624

2 Q Will that fact affect the enzyme's reliability as a  
3 marker?

7 Q Are there hidden variations in phenotypes, that is to  
8 say, variations which the electrophoretic means that  
9 you use don't detect?

11 Q Which of the enzyme systems that you tested have  
12 these hidden variations?

17 Q Can those -- the existence of those hidden variations  
18 affect the accuracy and reliability of your typing  
19 calls of those enzymes?

Q. You mentioned that you don't necessarily use the same technique exactly for each enzyme; is that correct?

24 Q Can the reliability of electrophoretic testing vary  
25 with the type of gel that you use?

26 A. Yes, it can.

007625

1 Q Can it vary with the identity of the buffer that you  
2 use?

3 A You mean the particular makeup of the buffer?

4 Q Well, the particular chemical that you use, the  
5 particular chemicals that you use in the buffer.

6 A Yes, it can.

7 Q Can it also vary with the pH of the buffer?

8 A Yes, it can.

9a 9 Q Can it also vary with the staining technique that you  
10 use?

11 A Yes, it can.

12 Q Can it vary with the temperature that you carry out  
13 the reaction?

14 A Yes, it can.

15 Q Different genetic markers also vary in their  
16 reliability according to the body fluid that you're  
17 looking at; is that true?

18 A They vary in the quantity that is there, yes.

19 Q What about the reliability?

20 A Not really, no.

21 Q Mention was made in the -- that in addition to  
22 electrophoresis which tries to -- well, when you're  
23 using the word "electrophoresis", you're using that  
24 to mean that the different phenotypes of the enzyme  
25 in question are separated on the basis of differentials  
26 in their electrical charge?

007229

- 1 A Along with if you look at the haptoglobin and the  
2 size of the molecule.
- 3 Q Well, the haptoglobin test -- the haptoglobin testing  
4 where you're looking at the size of the molecule is  
5 unique; is that correct?
- 6 A In the tests that I'm using, yes.
- 7 Q In addition, there is also another type of test that  
8 can be done called isoelectric focusing; is that  
9 correct?
- 10 A Yes, there is.
- 11 Q And in that particular test procedure, the different  
12 phenotypes are being separated on the basis of  
13 differences in the pH at which they reach a certain  
14 equilibrium?
- 15 A Simply stated, yes.
- 16 Q Do the different enzymes vary in their reliability  
17 depending upon whether or not you're separating them  
18 by electrical charge, molecular weight or pH?
- 19 A They can, yes. As far as molecular weight and  
20 electrical charge, if you will, I would say those  
21 are about the same; however, with the pH, there are  
22 some that appear to degrade faster than others.
- 23 Q For example, esterase D is not considered to be  
24 reliable using the isoelectric focusing approach; is  
25 that correct?
- 26 A That is not correct. As far as an initial technique,

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25
- 26

MR. NEGUS: Fine.

(Whereupon, at 12:00 o'clock noon a recess was taken.)

--o0o--

007628

HON. RICHARD C. GARNER, JUDGE

4

6 | Go ahead, Mr. Negus.

7

9 BY MR. NEGUS:

12 | A. Yes, I did.

15 A I can't remember specifically, no.

21 A No, sir, I do not.

22 Q In assessing the reliability of different techniques  
23 and different markers, there are also variations in  
24 reliability with respect to the interpretation of the  
25 measurements produced by the various techniques; is that  
26 true?

007629



2 Q Well, electrophoresis, using the starch gel technique  
3 that you use for Group II, measures how far different  
4 proteins will go, varying according to their electrical  
5 charge; is that right?

7 Q So that is a measurement?

9 Q The measurement in itself doesn't tell you what phenotype,  
10 if any, of acid phosphatase, for example, you have; is  
11 that correct?

15 Q All right. In order to get to the -- in order to come  
16 up with the sort of conclusions that are significant as  
17 far as forensic serology is concerned, you have to  
18 interpret the results; is that correct?

20 Q And as far as the interpretation of the results is  
21 concerned, is there a factor of subjectivity involved  
22 that affects the reliability?

24 Q Is there a factor of the education and background of the  
25 interpreter which affects reliability?

26 A There can be, yes.

1 MR. NEGUS: Could I have one of these pieces of  
2 paper up here marked as whatever? Oh, we haven't decided  
3 what -- what marking, exhibit marking numbers we're going to  
4 use for this hearing.

5 THE COURT: Let's figure out some classification that  
6 will be used just for these particular -- for this period.

7 MR. KOCHIS: How about the initials K. F. For  
8 Kelly-Frye hearing, Exhibits 1 through --

9 THE COURT: Kelly-Frye,

10 THE CLERK: Your Honor, can we just use the "K"?

11 MR. NEGUS: The "K" is fine with me, or just -- or --  
12 I don't -- do we need or, as far as all the trial motions are  
13 concerned, all the in limine motions, do we need to have a  
14 different set of numbers for each motion or can we just use  
15 one set of numbers for the full schmear?

16 MR. KOCHIS: I have no preference. But, in terms  
17 of later locating them, a letter which may indicate which  
18 hearing this came from might be simpler.

19 MR. NEGUS: Okay. "K-1" is fine. "K-1" through  
20 whatever is fine with me, then.

21 THE COURT: You may use that number again, then.

22 All right. We'll start off, till we hear otherwise,  
23 all exhibits, and that will be "K-1" then.

24 Q (BY MR. NEGUS:) Can you go to the board to Exhibit K-1,  
25 which is the piece of paper, I guess, behind you, the  
26 piece of paper I have marked as K-1.

007531

1 THE COURT: I think maybe we ought -- I'm not used  
2 to this courtroom. Can he stand there conveniently or should  
3 we move the paper, Mr. Negus?

4 MR. NEGUS: I don't know. I'm -- whichever way --

5 THE COURT: Bailiff step over, help him move the  
6 paper, if you will.

7 Q (BY MR. NEGUS:) Down the left hand side, could you  
8 write "Group I, Group II, Group III, Group IV and hapto-  
9 globin or HP."

10 A (Witness complies.)

11 Q Then along the top could you write "gel" on one column;  
12 another column for buffer; another column for PH; and  
13 a final column for staining.

14 A (Witness complies.)

15 Q Now, in the Group I that you do, which is just for  
16 esterase D and PGM, you use a 1 percent agarose-1 percent  
17 starch gel; is that correct?

18 A That is correct, yes.

19 Q Could you put "agarose/starch" there in the Group I.

20 A (Witness complies.)

21 Q And for the Group II, you use a starch that is 10 percent  
22 starch; is that correct?

23 A That is correct.

24 Q And put a "starch" there.

25 A (Witness complies.)

26 Q What do you use for Group III?

- 1 A Agarose.
- 2 Q Would you put "agarose."
- 3 A (Witness complies.)
- 4 Q What about Group IV?
- 5 A Same thing with that. It's agarose. (The witness
- 6 marked the diagram.)
- 7 Q And then for the haptoglobin.
- 8 A It's acrylamide.
- 9 Q Put that --
- 10 A See if I can get the spelling.
- 11 Q A-c-r-y-l-a, I believe, m-i-d --
- 12 A (Witness complies.)
- 13 Q What buffer -- what substance do you use to buffer your
- 14 Group I?
- 15 A It's a substance called TRIS and maleic acid.
- 16 Q Would you write that in, then, there.
- 17 A (Witness complies.)
- 18 Q And what pH is the -- is that buffer in the gel?
- 19 A Seven point four.
- 20 Q Put that in.
- 21 A (Witness complies.)
- 22 Q What buffer do you use on the Group II?
- 23 A That is a citrate/phosphate.
- 24 Q Would you write that citrate/phosphate in.
- 25 A (Witness complies.)
- 26 Q And what pH do you keep that phosphate in the gel?

- 1 A I believe it's five five. If I could look at my manual --
- 2 Q Sure.
- 3 A (The witness referred to the document.) Yes, it is.
- 4 Q And what buffer do you use for the agarose in the -- in
- 5 the gel?
- 6 A For the --
- 7 Q Excuse me, Group III.
- 8 A Group III, that is a glycine. It's -- again, it's a
- 9 glycine/TRIS buffer.
- 10 Q Okay. Put that.
- 11 A (Witness complies.)
- 12 Q And what pH do you -- do you keep that at?
- 13 A I keep it at eight point three.
- 14 Q Put that down.
- 15 A (Witness complies.)
- 16 Q What buffer do you use for the Group IV?
- 17 A That's again a -- a TRIS/phosphate buffer, seven point
- 18 four. (The witness marks the diagram.)
- 19 Q And you have written that on K-1?
- 20 A Yes, I have.
- 21 Q What buffer do you use for the haptoglobin?
- 22 A That is also a TRIS/glycine buffer.
- 23 Q And what pH?
- 24 A That is eight point four.
- 25 Q Could you write that on the --
- 26 A (Witness complies.)

0077934

1 Q Now, using the multi-system, you use the same gel buffer  
2 and the same pH for the electrophoresis runs for all of  
3 the different -- different proteins that you're -- you're  
4 measuring in any particular multi-group system; is that  
5 correct?

6 A That is correct, yes.

7 Q But when you get to the -- when you get to the staining  
8 procedures, you use different stains to bring out the  
9 different enzymes?

10 A That is correct, yes.

11 Q For Group I, what -- could you again return up there and  
12 then indicate for PGM what stain you use for -- for  
13 Group -- to bring out the PGM.

14 A You want all the ingredients, all the ingredients in  
15 the stain?

16 A Well, are there various different stainings -- standard  
17 staining combinations of -- of that that one uses?  
18 For example, there's -- in developing, for example, the  
19 PGM, there's about four or five different chemicals that  
20 are -- that are added; is that correct?

21 A That is true, yes.

22 Q And are those -- is that particular procedure used for  
23 other -- for other -- for other of the enzymes?

24 A No, sir, it is not.

25 (No omissions.)

26

007635

3 | A. Yes, it is.

6 | A. Yes, it is.

9 A. All of the things that are included in there are  
10 necessary for the development of the stain. They  
11 include glucose 1 phosphate, a substance called  
12 NADP, a substance called MTT, another one called  
13 Phenazine Methosulfate, and along with what you  
14 mentioned, the G6PD.

16 A: That's the final result. That's what you see, yes,  
17 but you would not see that without the rest of the  
18 things in there.

21 | A. Yes, it is.

22 Q. Which other ones is it?

23 A. That would include ADA, AK, along with peptidase A.

24 Q Are the -- were those -- with the ADA, AK and peptidase  
25 A, do you likewise also use the NAD and the NADH?

26 A. On the peptidase A, no. You don't need it. The AK --

007636

11-2

1 or the ADA, no. You don't need it. The AK, I believe  
2 you do need it on that.

3 Q Is there any convenient way that you distinguish  
4 between the different staining techniques that you  
5 use, any particular names, or you just called them  
6 by all the chemicals in them?

7 A Basically, I have never -- except for calling them  
8 by what they develop, I haven't really named what  
9 they are.

10 Q Okay.

11 A Except fluorescent as opposed to a visible dye.

12 Q Starting with the PGM, what are the substances that  
13 you add to bring out -- to develop the pattern?

14 A There's a substance called glucose 1 phosphate with  
15 approximately 1 percent glucose 1, 6-diphosphate  
16 contained within it. Also included in that is the  
17 NADP as mentioned before, along with the MTT, the  
18 PMS and the G6PD.

19 Q Could you -- would a fair designation of that be then  
20 that G-1-6, G6PD and with a dash to MTT, would that  
21 be sufficient to distinguish that from all the other  
22 staining techniques?

23 A I think in any of this, you have to include all of  
24 them.

25 Q Then why don't you do that on the chart, if you would,  
26 for PGM.

11a

007677



- 1 A Just for abbreviations?
- 2 Q Yeah, and then for esterase D, what do you add to
- 3 esterase D to bring it out?
- 4 A I add MUA.
- 5 Q What is MUA?
- 6 A Stands for methylumbelliferyl acetate.
- 7 Q Could you put MBA for --
- 8 A MUA?
- 9 Q Yes.
- 10 A (Witness complies).
- 11 Q And that has to be done in the dark; correct?
- 12 A It has to be read in the dark, yes.
- 13 Q With respect to the acid phosphatase, what do you add
- 14 to bring that out?
- 15 A That's a substance called MUP.
- 16 Q And what is that?
- 17 A It stands for methylumbelliferyl phosphate.
- 18 Q Could you put MUP after EAP there.
- 19 A (Witness complies).
- 20 Q For the ADA in that system, what do you add to bring
- 21 that out?
- 22 A ADA consists -- the reaction buffer consists of
- 23 adenosine plus MTT and PMS.
- 24 Q Could you write those -- could you write adenosine,
- 25 MTT and PMS after ADA?
- 26 A Along with the enzymes included in that also or just --

00079700

007639

1 at once.

2 MR. NEGUS: He said, I believe not the enzymes;  
3 serum proteins. He said serum proteins, and I said  
4 not the enzyme serum proteins.

5 Q Is that correct?

6 A It sounds good to me.

7 Q Could you then write antiserum next to the Group III  
8 in the staining --

9 A Yeah. I'll draw a line up there.

10 Q And what staining procedure did you use to develop  
11 the PEP A?

12 A That would be -- include a peptide plus PMS, MTT and  
13 L-amino acid oxidase.

14 Q Would you write those five, I believe it was, chemicals  
15 down after PEP A.

16 A (Witness complies).

17 (No omissions.)

18

19

20

21

22

23

24

25

26

00076400

- 1 Q And what do you use to develop CA II?
- 2 A That's a substance called fluorescein diacetate.
- 3 Q And could you write "fluorescein diacetate" on the board,
- 4 CA II.
- 5 A (Witness complies.)
- 6 Q And, finally, what do you use to develop the stains in
- 7 haptoglobin?
- 8 A I use either benzidine or ortho-tolidine. In this case I
- 9 use benzidine.
- 10 Q Could you write "benzidine" down.
- 11 A (Witness complies.)
- 12 Q There's an "n" in there.
- 13 A Yes, there is.
- 14 Q Are there any problems that affect reliability connected
- 15 with the use of agarose/starch gel with Group I?
- 16 A No, sir.
- 17 Q Are there any mistakes that you can make in the prepara-
- 18 tion of that gel which will affect the results?
- 19 A There's a possibility that you could make the buffers
- 20 the wrong pH or put the wrong ingredients in the buffers,
- 21 yes.
- 22 Q What would that do?
- 23 A That would change the mobility of some of your enzymes,
- 24 where it would -- where they would be on the plate them-
- 25 selves. This would -- you would detect by the standards
- 26 that you put on the plate.

0077641

1 Q Did you do anything to measure the pH in the -- in the  
2 buffer?

3 A Yes, I do.

4 Q What do you do?

5 A I standardize the pH against -- using a -- a Beckman-  
6 Altex pH meter. And in this case I standardize the pH  
7 meter first and arrange from pH 7 to pH 10, and then  
8 I'll standardize the buffer using either sodium  
9 hydroxide or hydrochloric acid to a pH of seven point four

10 Q So you'll either add something to make it more -- more  
11 alkaline or more acid in order to get it to the right  
12 pH?

13 A That is correct, yes.

14 Q Do you check it again after you're done?

15 A With the run?

16 Q Yeah.

17 A No.

18 Q Is it possible that the -- the pH in the gel buffer can  
19 change during the run?

20 A In the realm of possibilities, yes, I would say -- say it  
21 is possible, yes.

22 Q How does that happen?

23 A Basically, the concentration of various substances in  
24 the gel may change due to the heating and the -- simply  
25 putting the electricity across the gel.

26 Q Well, aren't -- as the -- the way that the -- way that

007642

1       this particular process works under group -- under Group  
2       I, you have -- you have a glass plate with the gel in a  
3       little mold; is that correct?

4   A   Simply stated, yes.

5   Q   And at either end you have a sponge of some sort?

6   A   I use filter paper, but it's a wick into another solution.

7   Q   And that other solution is likewise a gel with a certain --  
8       excuse me, likewise a buffer with a certain pH to it?

9   A   Yes, it is.

10   Q   And you have a positive -- there's two; one's positive  
11       and one's negative, right?

12   A   When you apply the run, yes, that's true.

13   Q   And as you -- as you apply the electricity, does the pH  
14       in the -- at the positive pole tend to change differently  
15       than the pH at the negative pole?

16   A   Yes, it would.

17   Q   How does that work?

18   A   Basically because you're at the different poles, you're  
19       freeing different types of substances from the buffer  
20       itself or the water in the buffer, and you're creating an  
21       acid on one end and the base at the other end. So you  
22       are going to have switches, essentially, opposite of each  
23       other.

24   Q   Is there any way of making sure that, having these three  
25       different pH's, your -- your plate -- your plate buffer  
26       and your two tank buffers, that you don't end up having

007643

1 the plate buffer get changed along with the other two?

2 A First of all, as far as the change, it's pretty much  
3 designed into the system. You expect it to change  
4 somewhat. And so it doesn't really become a factor in  
5 the system, since that is expected to happen in the first  
6 place.

7 As far as the change in the gel itself and also the  
8 change in the buffers on the other side, one of the  
9 definitions of a buffer is to prevent -- or a substance,  
10 liquid, which prevents the gross change of pH due to  
11 addition or subtraction -- or, the addition of the acid  
12 or base.

13 Now, as far as a change in the gel, it say change to  
14 some extent. But, then, again, you're doing this,  
15 repeating it each time that you are running the gel.

16 Q In the buffer, the -- the way that a buffer works, is it  
17 not, is that there is in the buffer in the substance that  
18 has that where if you plotted the pH on one axis and the  
19 amount of protons, for example, that you're adding on  
20 another axis, that there will be a sort of a long flat  
21 period before -- before it either falls off or ascends  
22 the scale; is that true?

23 A Essentially, yes.

24 Q So there's only so many, for example, protons that you  
25 can add before the pH in the buffer will start to change;  
26 is that right?

0071945

- 1 A That is true, yes.
- 2 Q How do you guard against getting beyond that particular --
- 3 that particular stage in your buffers?
- 4 A Essentially making the buffer properly in the first
- 5 place.
- 6 Q Any way you can tell by looking at it if you make a
- 7 mistake?
- 8 A You can tell basically by, since you're the one, or, I'm
- 9 the one who makes up the buffer, I can tell how much
- 10 substance I put in there. Also when I'm standardizing
- 11 the pH of the buffer, I can tell from experience that if
- 12 I add a certain amount of hydrochloric acid or a certain
- 13 amount of sodium hydroxide what I would expect the
- 14 buffer to change at what rate. If it changes more
- 15 than that, that indicates a weak buffer, and I may throw
- 16 it out in that case. Probably -- I'm sure I would throw
- 17 it out if it changes more drastically than I expect it to.
- 18 If it changes too slow than what I expect it would, then,
- 19 again, it seems like it's more concentrated and, again,
- 20 I would throw that buffer out before I used it.
- 21 Q Do you make up a new buffer each time you do a different --
- 22 a new run?
- 23 A No, I do not.
- 24 Q How often do you change it?
- 25 A Approximately ever five runs or five days.
- 26 Q Do you keep track of how many -- how long it's in or just

0007945



1 by memory?

2 A We keep track.

3 Q Do you include that record in the record that you make of  
4 each of these different runs?

5 A No, I do not.

6 Q Is there any way that an outsider coming in and looking  
7 at the record that you keep of your experiments can tell  
8 whether or not you had the wrong pH in your buffer?

9 A Except for possibly the photographs, no.

10 Q How would the photographs tell a person?

11 A Possibly if you included the origin, in the photographs  
12 it may show that the enzyme migrated too far or not far  
13 enough in the allotted run time.

14 Q In the Group I, is there any problems which you -- can  
15 affect reliability with the staining that -- you use to  
16 bring out the PGM?

17 A Not really, no.

18 Q Would -- do you prepare each of the reagents that you use  
19 in the staining yourself?

20 A They are bought from a chemical company, if that's what  
21 you mean. I prepare the total reagents from adding each  
22 of those together, the final reagent.

23 Q Can any of those things that you use in the staining  
24 procedure go bad?

25 A They can to some extent, yes.

26 Q Which one?

00079649

- 1 A In particular, the PMS can go bad. Not that it will  
2 show reliability problems, but it may show problems with  
3 me not being able to get a result at all.
- 4 Q Any other ones lead to that kind of problem?
- 5 A Again, possibly the G6PD if you don't use it up fast  
6 enough. That's basically it.
- 7 Q Do you care, if you're not getting the proper results,  
8 not to use the same substance over and over again?
- 9 A Yes, I do.
- 10 Q What about the EsD staining? Anything that can cause  
11 reliability problems there?
- 12 A No.
- 13 Q If -- what temperature do you -- do you keep the plate  
14 at in your Group I?
- 15 A For the EsD, I keep it at room temperature. For the  
16 PGM, I keep it at 37 degrees, or body temperature for  
17 incubation.
- 18 Q Excuse me. Back up. When you're doing the run, what  
19 temperature do you keep it at?
- 20 A The plate or the cooling platen itself is approximately  
21 4 degrees Celsius.
- 22 Q If the cool platen where some other -- well, if the gel  
23 were some other temperature than that, would that cause  
24 problems in reliability?
- 25 A Okay. First of all, I -- as far as the gel itself, I  
26 didn't -- except for various or relatively sophisticated

0077647

1 pieces of equipment, I don't have a way of measuring  
2 the actual temperature on the gel. So as long as my  
3 cooling platen is at 4 degrees Celsius, then the  
4 temperature of the gel should be constant from run to run.

5 Q What sort of contact do you have between the cooling  
6 platen and the gel?

7 A It's direct physical contact.

8 Q There's a layer of air in between?

9 A There's -- I guess you could say that, yes. Very thin  
10 layer of air. Or a lot of times there's condensation  
11 that gets on there. Most of the time there is. So  
12 there's a layer of water on there.

13 (No omissions.)  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26

0007968

- 1 Q You don't add any water, though, yourself?
- 2 A No.
- 3 Q Does the condensation cover the whole plate or is it
- 4 irregular over the surface of the plate?
- 5 A Just as far as looking at it, it appears to be
- 6 constant.
- 7 Q You don't take any particular cognizance about that
- 8 one way or the other?
- 9 A I look at the plates to make sure it's even. If there
- 10 is condensation underneath, I will look at it to make
- 11 sure it's even across the top or I'll get rid of it
- 12 completely. The latter is what I usually do.
- 13 Q You wipe it off?
- 14 A Yes.
- 15 Q Is there anything that will tell you if you have a
- 16 problem with the heating?
- 17 A Yes, there is.
- 18 Q What is that?
- 19 A The milliamps of the run itself will tell me that.
- 20 The appearance of the gel will tell me that. Also,
- 21 the appearance of the isozymes at development time
- 22 will tell me that.
- 23 Q How will the milliamps tell you that?
- 24 A Basically, because if there's an overheating, I will
- 25 see an increase in the milliamps because of the
- 26 concentration of the buffer in the gel is going up

000719467

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24
- 25
- 26

A. As far as that is concerned, there may be a visible warp in the gel due to overheating problems.

A. Yes, I will, as far as that which I do not normally experience, yes.

A. To some extent, yes.

A. No, as far as the normal one, no.

A. Basically, the shape and the constant -- if you look at the warp in the gel, it's -- in a normal run, it will be a straight line across the gel essentially where the gel and the action of the electrophoresis has gotten rid of some of the water.

**THE COURT: K-2?**

Q. Could you draw a -- what you would expect a normal PGM gel without any heating problems would look like

007650

11-3

1 up on the, say, upper left-hand corner, and we'll  
2 write that "normal."

3 A Okay. As far as if you're going to look at the gel  
4 as it's laying flat on the surface, it would look  
5 something like -- the origin is right here. You'd  
6 find that this area in here will be not as thick, so  
7 all this area in here will be not as thick as the  
8 area above it.

9 Q What happens when you have heating problems?

10 A Heating problems you'll -- first of all, if you'll  
11 notice, I drew this essentially a straight line. If  
12 you have heating problems --

13 Q Could you draw a separate one with heating problems.

14 A Yeah. You'll find something in the order that you  
15 have a warp, maybe something like that going along  
16 it. Rather than a straight line, you'll find a lot  
17 of waviness, I guess you would call it.

18 Q So that there's an area -- the area between the thinned  
19 out gel and the non-thinned out gel has a wave to it  
20 rather than just being --

21 A Essentially, yes.

22 Q Will that particular waviness transmit itself into  
23 the appearance of the isozymes?

24 A Yes, it can, yes.

25 Q And how does that -- could you -- let's see. You've  
26 drawn the gel pictures in blue. Could you take another

000795-1

Q. Are there any other problems that are caused by

007652

1 heating that you get with the Group I?

2 A You'll cause in general a degradation of your sample  
3 and possibly a movement into a different area because  
4 of the difference in the changes in concentration of  
5 the sample itself.

6 Q How do you tell with that sample?

7 A As far as what, the --

8 Q When you get -- when you sit there, you've finished  
9 your run, how can you tell whether there's --  
10 whether the enzymes have degraded because of the  
11 heating of your --

12 A Basically, by looking at the gel itself and looking  
13 at the results that you have. If it's warped like  
14 this, then it's -- it may have some heating or  
15 concentration problems. If it's a particular sample  
16 that may be streaked or something like that, it may  
17 give you -- may be as a result of that too.

18 Q You say you can see streaking in the -- in the -- in  
19 the plate that you develop?

20 A Occasionally, yes.

21 Q How big a curve do you require before you throw it  
22 out?

23 A I really don't have a standard on that. If it looks  
24 bad to me, I'll throw it out. If the things are in  
25 such a way that they just don't look right from my  
26 experience, it's -- if the standards can't be read or

007657



1 if they don't line up with any of the other things,  
2 then it's time to throw it out.

3 Q Have you -- is there any literature about the  
4 problems of heating with Group I?

5 A With Group I specifically, I do not know.

6 Q Is there any literature about heating problems in  
7 general?

8 A Yes, there is.

9 Q What literature are you aware of?

10 A In particular, that would include Culliford's book  
11 on the Examination and Typing of Bloodstains in the  
12 Crime Laboratory.

13 Q And what cures does he propose for the problem?

14 A Essentially, cooling, make sure that your cooling  
15 apparatus is working properly or I believe at that  
16 time he may have also been using runs in the  
17 refrigerator.

18 (No omissions.)

19  
20  
21  
22  
23  
24  
25  
26

0007-5557

1 Q Any other literature that you're aware of?

2 A Not specifically, no.

3 Q Is there any literature that you're aware of of the  
4 problems of staining with PGM?

5 A No, sir.

6 Q Is there any literature that you're aware of of the  
7 problems concerned with variations in PGM in the gel  
8 buffer with Group I?

9 A Again, that would -- well, not specifically Group I, no.

10 Q What general literature are you aware of with that  
11 problem?

12 A Again, that would be Culliford's book on The Examining  
13 and Typing of Bloodstains in the Crime Laboratory.

14 Q How many of the techniques that you used are described  
15 in Culliford's book?

16 A As far as the general technique of electrophoresis,  
17 it's described in Culliford's book. As far as the  
18 specific items which go into the gels and such, part of  
19 them are taken out of there. Most of them are taken out  
20 of Brian Wrxall's Bloodstain Analysis System.

21 Q Which ones are taken from Culliford?

22 A Okay. Basically, the buffer that I use is taken out  
23 of Culliford. I believe it's the identical thing for  
24 Group I. Also, I believe it's the identical thing for  
25 Group II, although the -- the gel is thinner than what  
26 Culliford uses. And, basically, the -- the techniques

007655

1 are out of his book, the general techniques, whereas  
2 the methods themselves are taken out of the Bloodstain  
3 Analysis System.

4 Q The Bloodstain Analysis System, that is a 1978 publication  
5 by Mr. -- Mr. Wraxall published by Beckman and Aerospace?

6 A Not sure about the date. I think it may be sooner than  
7 that.

8 Q I'm not sure. Do you have it there?

9 A '79, '78 is what it says. October, 1978.

10 Q What problems -- well, are there any problems of  
11 persistence, stability in PGM?

12 A Other than that it degrades, if that's what you're  
13 talking about, it will degrade over time.

14 Q What problem, if any, does that cause for reliability?

15 A As far as reliability, none that I know of. As far as  
16 readability, it's either you get it -- get a good result  
17 or you can't read it.

18 Q Is there any literature on that that you're aware of?

19 A There's several pieces of literature on degradation of  
20 the various enzymes. As far as specific references, I  
21 can't give you -- give you any of those right now.

22 Q Other than just not being able to read the -- read the  
23 plate, is there any other visible signs of -- of a blood --  
24 a bloodstain containing PGM being too old?

25 A Not really, no.

26 Q With PGM, when PGM's in the wet state, being defined as

007656

- 1       either in liquid form or when the relative humidity is  
2       above a certain point, what sort of changes does it  
3       undergo?
- 4   A   Basically the biggest change is just that the degradation  
5       of the protein of it will be broken down and eaten up, if  
6       you will, by other -- by other enzymes in the -- in the  
7       stain.
- 8   Q   And how does that manifest itself?
- 9   A   It will reduce the amount of active PGM on the plate as  
10      far as electrophoresis is concerned.
- 11   Q   Any other wet state problems that you're aware of?
- 12   A   Not really, no.
- 13   Q   What changes does PGM undergo in the dry stages?
- 14   A   Essentially the same thing except that it's at a slower  
15      rate.
- 16   Q   Do you -- are you aware of any differences between the  
17      wet and the dry changes in PGM?
- 18   A   Except for the rate, no.
- 19   Q   Do you know any place in the literature where these  
20      changes the enzyme undergoes are discussed?
- 21   A   Not specifically, no.
- 22   Q   Are you aware of anyplace in the literature where it  
23      discusses how to avoid any type of problems from those  
24      changes?
- 25   A   I can't give you any specifics, no.
- 26   Q   What would you look for to avoid typing problems as far

1143-7-22-68

1 as changes in PGM?

2 A I don't -- I don't understand your question.

3 Q Is there anything that you would look for on the -- on  
4 a PGM plate that you have developed to keep in mind as  
5 sort of diagnostic indicators that PGM may have undergone  
6 some sort of change?

7 A I would look for a streaking of the bands, which may  
8 indicate the presence of bacteria. Also the possibility  
9 that I may have a very faint sample where I would have  
10 expected a strong result.

11 Q Does PGM undergo mixed disulfide reactions?

12 A No, sir, it doesn't.

13 Q Does it undergo a deamination, deamidation?

14 A It's possible that it could, yes.

15 Q How would you tell that if it -- if it were to show up in  
16 your plate?

17 A If I was looking for that, I would -- if it occurred to  
18 a significant degree, I would look for PGM bands that were  
19 lower or more towards the negative side.

20 Q Do you know anyplace in the literature where readings  
21 from deamidation are discussed?

22 A Not specifically, no.

23 Q Is there anything that you can do to prevent the  
24 deamidation, deamination of PGM?

25 A Except for the drying and the freezing of the -- when you  
26 preserve the sample, not that I know of, no.

00007500

1 Q Is there anything in the chemical structure of PGM that  
2 causes any difficulty in interpreting the results of  
3 electrophoresis?

4 A No, sir.

5 Q In the system that you used, you break down PGM into three  
6 main types, one, two-one and two, correct?

7 A That is correct, yes.

8 Q Are all PGM 1's -- well, take it back.

9 Do all PGM 1's contain the same protein?

10 A No, sir.

11 Q Do all PGM 2's contain the same protein?

12 A No, sir.

13 MR. NEGUS: Can I have another piece of paper  
14 marked.

15 THE COURT: While you're preparing that, perhaps we  
16 could take the recess.

17 MR. NEGUS: Okay.

18 (Recess.)

19 THE COURT: Go ahead, Mr. Negus.

20 Q (BY MR. NEGUS:) The proteins that we're talking about  
21 have varying numbers of peptide chains in them; is that  
22 correct?

23 A That's true, yes.

24 Q And on the basis of that, they are classified as monomers,  
25 bimers, trimers?

26 A That is true.

0076559

- 1 Q The -- in general, a monomer, bimer and a trimer, for  
2 example, will have different patterns in the heterozygote  
3 phenotype; is that correct?
- 4 A That is correct, yes.
- 5 Q Could you go to the board in Exhibit K-3 and draw, just  
6 as an example, the typical heterozygote pattern for a  
7 monomer, a bimer and a trimer.
- 8 A Okay. As concerning -- do you want a specific enzyme in?
- 9 Q Well, there's a general pattern that -- that's true for  
10 most monomers, bimers and trimers, are there not?
- 11 A There is and there isn't. For instance, the pattern for  
12 PGM and AK, although they're both monomers, is slightly  
13 different.
- 14 Q Okay. Well, let's -- let's start with, for example,  
15 in the monomer, start with -- with the AK, being the  
16 simple form.
- 17 A (The witness marked the diagram.)
- 18 Okay. AK has -- I'll just put up all three types.
- 19 Q Okay.
- 20 A General types.
- 21 Q And three basic types, the first one being a 1, which has  
22 a band closer to the origin; the 2-1, which has two bands;  
23 and the 2, which has one band.
- 24 Q Let's take, then, a typical bimer, PEP A.
- 25 A Either PEP A or esterase D, either one.
- 26 Q Okay.

00079600

- 1 A I'll put them both as the same. (The witness marked  
2 the diagram.) As far as either one of these patterns,  
3 there's also going to be three types, 1, 2-1 and 2, the  
4 1 being essentially a single band, the 2 being essentially  
5 a single band, and the 2-1 being a combination of these,  
6 actually 3 bands with the 1 in the middle being the more  
7 intense.
- 8 Q And a bimer, the intensity of the heterozygote bands  
9 will be approximately -- or, should be approximately 1,  
10 2, 1; is that correct?
- 11 A The bands, as I wrote them up here, they'll be -- the  
12 one towards the negative will be 1, and then, as you go  
13 up, it will be 2 to 1.
- 14 Q Now, you say that PGM is a monomer but it has a different  
15 pattern than AK.
- 16 A That is true.
- 17 Q Why is that?
- 18 A Because of the deamination product which occurs naturally  
19 within the body.
- 20 Q And what does that -- what kind of pattern does that  
21 produce on PGM? If you could draw that on K-3 below the  
22 AK one.
- 23 A (Witness complies.) Okay. Basically, again, PGM has  
24 three common types. The 1 will look -- will have two  
25 bands, one more towards the negative and then another  
26 one further up. The 2 will have one kind of in between

1147-1



1 those and then again one further up. And then the 2-1  
2 will -- will be a combination between the two of these.

3 (No omissions.)  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26

2007-07-00

1 Q Will those patterns be the same in fresh blood or  
2 bloodstains?

3 A Many times what you'll get with an older bloodstain,  
4 you'll get more of the pattern -- more of the  
5 secondary band up here, but generally they'll look  
6 like this.

7 Q Can you distinguish blood cells in terms of their  
8 age when they're floating around in your body?

9 A There are methods to do it, but I do not know them.

10 Q Will young red blood cells and old ones give the  
11 same pattern of PGM if you segregate them out?

12 A No, they will not.

13 Q Why not?

14 A Basically, because as the enzyme goes along, more  
15 and more of the deamination product or the upper  
16 band will appear. The body is changing the enzyme,  
17 if you will.

18 Q Do -- in your -- in your AK, all the 1's are the same  
19 protein; right?

20 A Yes, they are.

21 Q And all the 2's are the same protein?

22 A Yes.

23 Q The fact that you have different chemicals there in  
24 the PGM 1's, two different chemicals, and the fact  
25 that you have this deamination problem with it, can  
26 that -- can that cause any type of problems?

0007993

3 Q. How do you predict it?

7 Q Of the two chemicals that -- the two different types  
8 of chemicals that make up the PGM 1 band, do both of  
9 them change at the same rate?

13 Q Well, let's -- when you -- when you do your Group I  
14 thing here, and you get this result which you've  
15 labeled as a 1, you don't know whether the protein  
16 that you have there is the 1 chemical which they call  
17 a 1+ or the other chemical which they call a 1-;  
18 correct?

20 Q So what I'm asking you is does this deamidation process  
21 take place at the same rate for PGM 1 pluses as it  
22 does for PGM 1 minuses?

24 Q How about for PGM 2 pluses and 2 minuses?

26 Q You don't know anything about the relative rates of

007664

15-3

1 deamidation of any of the PGM sub types?

2 A The one thing I can say about the PGM sub type, it  
3 seems like the 1- and the 1+ do degrade at a faster  
4 rate than the 1+ 2+. As to whether they're actually  
5 breaking down or being deaminated into another product,  
6 I do not know.

7 Q How about -- let's just then just in general terms  
8 of stability of the 1 pluses and the 2 -- and the  
9 1 minuses and the 2 pluses and the two minuses, could  
10 you -- could you rank them in relative order of  
11 stability?

12 A Not totally. I'd have to say that the 1's -- the  
13 1+ and the 2+ are more stable than the 1- and the 2-.

14 Q Generally, you'd expect in the aging -- in the process  
15 of just disappearing from the face of the plate, you'd  
16 expect the 1's to go before the 2's; is that correct?

17 A Not really, no.

18 Q What order -- of these bands that you have drawn here  
19 under the PGM 2-1, what order do you expect them to  
20 disappear?

21 A Okay. From the order that I've seen, you will many  
22 times see them disappear equally or have the upper  
23 bands disappear faster, but, basically, I would say  
24 equally.

25 Q And you don't -- do you know what process, what  
26 chemical process it is that causes them to -- different

15b

007666

1 A I always look for any indications of degradation, yes,  
2 and I will develop further up than just the PGM sub 1  
3 locus.

4 Q What kind of wet state changes do you get with  
5 esterase D?

6 A Basically, the production of what would be called  
7 storage bands.

8 Q What causes that?

9 A. I believe it's deamination also. It may also be the  
10 combination of glutathione with the esterase D.

11 Q The formation of mixed disulfide complexes which  
12 cause the bands to move more towards the anode?

**13** | **A** Yes.

14 Q The one is reversible by using the Cleland's reagent  
15 that you use; is that correct?

16 | A. That's correct, yes.

17 Q But the other one isn't?

18 | A. No, it's not.

19 Q When you look at your plate, can you tell the  
20 difference between a reaction caused by deamidation  
21 and a reaction caused by forgetting to use the Cleland's  
22 reagent?

23 A That I do not know. As far as the standards, if I  
24 didn't use the Cleland's reagent on the standards, I  
25 could tell.

26 (No omissions.)

1 Q What do you mean? The standards you're talking about  
2 you defined before as the -- as the bloodstains that  
3 you know what they are?

4 A Yes.

5 Q In general in -- in -- in coming to your typing results,  
6 is it possible to reach a reliable result without using  
7 standards?

8 A I would say it is possible. However, it's much more  
9 reliable if you do use standards.

10 Q If you -- well, if you do use standards and the standards  
11 don't come out, do you throw out your results?

12 A I will, yes.

13 Q If the standards come out to be something different than  
14 you think they are, do you throw out your results?

15 A Generally, yes.

16 Q How do you tell if you have deamidation on the -- on  
17 your unknowns other than --

18 A The presence of storage bands.

19 Q Well, you always get storage bands with EsD, don't you?

20 A Yes, you do.

21 Q What -- does the deamidation ever get to a stage where  
22 typing becomes unreliable?

23 A It may get to the point where I wouldn't type it. But as  
24 far as unreliability, no. If I had something there, I'd  
25 say no.

26 Q How -- where would it -- how would it -- how would you

007668

2 A. If, for instance, you're looking at the thing and there  
3 was not a -- an esterase D 2-1 if there was not a 1 to 2  
4 to 2 to 1 pattern, if there's a considerably more amount  
5 of storage bands than what would be expected, those two  
6 are ways I would tell.

10 A I would assume that it's a modification of the protein  
11 itself.

13 A. I do not know.

15 A Again, I would expect them to go under -- undergo the  
16 same things, only at a much slower rate.

18 A. Not specifically, no.

21 A From what I've seen on the plates that I've typed, they  
22 seem to, yes.

24 A Not that I recall specifically, no.

25 Q Do the different alleles of the -- of the esterase D vary  
26 in the rate at which they are synthesized?



- 1 A That I do not know.
- 2 Q Are there any hidden variations in esterase D?
- 3 A I do not understand your question.
- 4 Q I think we defined peptidase 1 versus peptidase 8 as a  
5 hidden variation.
- 6 A Yes. Okay. If you're talking about the way that I do  
7 my systems on esterase D, you're probably talking about  
8 the esterase 5's and the 5-1's. Then, yes, there is some  
9 variation that I would not normally pick up on the  
10 Group I plates.
- 11 Q Do you know what causes the difference between the 5's  
12 and the 1's?
- 13 A Again, beside -- except for an actual structural  
14 difference, amino acids, no, I don't know the specific  
15 structural difference.
- 16 Q Do they degrade at different rates?
- 17 A That I do not know.
- 18 Q In the -- in the acid phosphatase, are there any  
19 problems with reliable typing that can -- can develop in  
20 the course of the electrophoretic run?
- 21 A During the course of the electrophoretic run? Not that  
22 I'm aware of, no.
- 23 Q How long have you -- how long do you -- how long is that  
24 run that you do?
- 25 A Sixteen hours.
- 26 Q Are you there throughout the course of it?

00075700

2 Q Do you let it run overnight?

4 Q With respect to the connection, I guess, the contact  
5 between the cooling plate and the glass, do you use --  
6 follow the same procedures with the Group II as you do  
7 with the Group I?

9 Q Is there any way that you can monitor amperage fluctuations  
10 in the run when you are not there?

12 Q Do you check the pH of the gel buffer when you get done?

14 Q How long do you keep that particular -- those particular  
15 buffers?

17 Q And there's no way that you can tell now on any given  
18 run whether it was the first of those five days or the  
19 last of those five days?

21 Q When the -- the protein is in the gel, it's in the wet  
22 state; is that correct?

24 Q It's therefore liable to -- to wet state changes?

26 | Q Yes.

- 1 A I would say not as defined before, no, because you're  
2 simply removing all the -- you're taking the enzyme away  
3 from the substances which may cause the wet state changes.
- 4 Q What about if it gets too hot?
- 5 A Then you will have the problem with degradation.
- 6 Q Same kind of degradation as you get with wet state changes?
- 7 A No. I would assume with the too hot that you're looking  
8 at a complete denaturization of the protein.
- 9 Q What degree is it not? I mean, you can get it so you  
10 can just burn it up or you can get it so you sort of speed  
11 up the rate of the changes, isn't that true, depending  
12 on how hot it is?
- 13 A That's essentially correct, a question of degree.
- 14 Q The -- the particular buffer that you use which contains  
15 the citrate acid, does that have any differential effect  
16 on the different acid phosphatase phenotypes?
- 17 A As far as the citrate phosphate buffer as opposed to  
18 another buffer?
- 19 Q Well, citrate acid --
- 20 A Citric acid.
- 21 Q C-i-t-r-a-t-e; is that right?
- 22 A Citrate. That stands for citric acid.
- 23 Q Does that affect any of the acid phosphatase phenotypes  
24 as far as degradation is concerned, one more than the  
25 other?
- 26 A Not that I'm aware of, no, sir.

007672

1 Q Does it affect the electrophoretic mobility of any of  
2 alleles more than the other?

3 A It can, yes.

4 Q Which ones are those?

5 A The fact you're using that buffer.

6 I do not recall specifically, but I do know that if  
7 you use different kinds of buffers you do get different  
8 patterns for EAP.

9 Q But you're not sure which one is which?

10 A I believe there's a diagram in Saferstein's book, but I  
11 do not know exactly which one will move differently.

12 Q When you're talking about Saferstein's book, you're  
13 talking about a book edited by Richard Saferstein entitled  
14 Handbook of Forensic Science in which there's an article  
15 by George Sensabaugh?

16 A That is correct, yes.

17 Q What are the wet state changes that acid phosphatase  
18 can undergo?

19 A Okay. Basically, the ones we stated before are possibly  
20 the, as you will, the formation of disulfide compounds  
21 and the deamination.

22 (No omissions.)  
23  
24  
25  
26

0007673

1 Q Any others?

2 A Not that I am aware of, no, sir, unless -- well, unless  
3 there's the presence of a bacteria which creates  
4 neuraminidase, the enzyme neuraminidase.

5 Q What will that do?

6 A That will change the intensity of some of the bands.

7 Q Which one?

8 A Basically all of them, in particular what is called  
9 the b and c bands.

10 Q Under what sort of circumstances do you get the  
11 presence of neuraminidase?

12 A I would expect the presence of neuraminidase to occur  
13 in, say, a body which has had some time to decompose  
14 or possibly a pool of blood which has not been allowed --  
15 or has not dried for a sufficient -- or well enough  
16 so that you still have a good deal of water present  
17 which can breed the bacteria which produce a  
18 neuraminidase.

19 Q Will that produce any shifting of the patterns that  
20 you get from the different phenotypes?

21 A Not necessarily the patterns, but what I said the  
22 intensities, yes.

23 Q With acid phosphatase, part of the pattern is the  
24 intensity, is it not?

25 A When I was referring to the patterns, I was referring  
26 to just where the bands are themselves. If you are

00076774

1 including the intensities of the patterns, yes, I  
2 will agree with that.

3 Q Well, the difference between the different types,  
4 like a "b" and a "c", for example, would be in the  
5 relative intensities of bands in the same spot; is  
6 that true?

7 A That's true, yes.

8 Q So the neuraminidase can affect that kind of typing  
9 call?

10 A It's possible that it can, yes.

11 Q How can you tell whether or not that's happened or  
12 not?

13 A Basically from the origin of the stain, for one.  
14 If you have some good idea if the patterns and the  
15 stuff when you go to a crime scene, whether the stain  
16 looked like it was in a pool of blood or whether you  
17 got it from a corpse, for instance, or the other  
18 enzymes, for instance, or the serum proteins may tell  
19 you that you have the neuraminidase present.

20 Q What other enzymes and serum proteins would tell you  
21 that?

22 A The one in particular would be the transferrin, which  
23 has a very -- well, a peculiar pattern. When you have  
24 neuraminidase present, you get a multiple banding  
25 rather than usually the one or two bands, depending on  
26 the type.

55-767-0000

17a-3

- 1 Q When you have that particular problem present in the  
2 transferrin, you get an actual shifting of the bands?
- 3 A You get a -- more bands than normal. You would get --  
4 and these would be towards the negative or the cathode.
- 5 Q And over time, will that gradually diminish so you  
6 just have one band again in a more cathodal position  
7 than you started with?
- 8 A I would think that you are going to end up with a lot  
9 of bands just shifting, constantly shifting towards  
10 the cathode.
- 11 Q And is that particular process in the transferrin  
12 referred to as desialidation?
- 13 A Yes, it is.
- 14 Q Are you familiar with any literature on that?
- 15 A I know that there's an article. I can't give you  
16 the name of the author or the title, but I have read  
17 at least one article on that.
- 18 Q In preparing samples for analysis in Group III, is  
19 there anything done to treat the samples to do away  
20 with that problem?
- 21 A Basically, no.
- 22 Q In the -- in the acid -- is acid phosphatase a  
23 monomer, dimer, what?
- 24 A It's a monomer.
- 25 Q Each -- in the patterns of acid phosphatase, the  
26 homozygote --

67-1000

17-4

- 1 THE REPORTER: I'm sorry. The what?
- 2 Q BY MR. NEGUS: -- h-o-m-o-z-y-g-o-t-e will have more
- 3 than one band; is that right?
- 4 A Yes, they will.
- 5 Q Why is that?
- 6 A Because of other -- well, it's a post -- what's called
- 7 a post-translational alteration. What happens after
- 8 the protein is made, the body will create or change
- 9 the enzyme into another type of -- or change some of
- 10 the enzyme into another type.
- 11 Q So in acid phosphatase you don't have, at least for
- 12 all of it, a direct one-to-one correspondence between
- 13 genotype and phenotype?
- 14 A For one of the bands, no. It's modified at a later
- 15 time.
- 16 Q Does that fact of chemical -- of the chemical structure
- 17 of the protein as you actually get it in bloodstains
- 18 cause any typing problems?
- 19 A Not really, no. Again, it's predictable. You are
- 20 looking for patterns that are like that.
- 21 Q As acid phosphatase ages, do -- well, strike. How
- 22 many different alleles are there for acid phosphatase?
- 23 A There are three basic alleles with more rare types.
- 24 Q Just taking the three basic, the three basic types,
- 25 do they all degrade at the same rate?
- 26 A No, they do not.

000077677



007678

- 1 A In, say, someone who's a CA or something like that;  
2 is that what you're asking?
- 3 Q Right.
- 4 A Either that, or a mixed sample.
- 5 Q So there would be a problem with it?
- 6 A I would question it, yes.
- 7 Q What are the -- what are the rarer alleles?
- 8 A This would be the R and the D.
- 9 Q Do they show up at the same place as the -- as any of  
10 the other ones?
- 11 A In the system I'm using, the D does not. The R can  
12 show up in -- around the same place as the B.
- 13 Q How do you tell them apart?
- 14 A Basically, you'll have to run them on another system.  
15 It's very hard to tell them apart on the system that  
16 I'm using.

17 (No omissions.)  
18  
19  
20  
21  
22  
23  
24  
25  
26

00779

1 Q Are there any hidden variations in acid phosphatase?

2 A As far as the subtype? Is that what you're asking?

3 Q Right. Or -- or some type that you can't distinguish

4 using your method.

5 A Not that I know of, no.

6 Q With respect to the -- to the ADA, is that a bimer?

7 A ADA is a monomer.

8 Q And what, do the bands vary in their longevity as to

9 different alleles?

10 A They can, yes.

11 Q How do they vary?

12 A I believe, and I may be wrong on this, I would have to

13 refresh my memory on the article, that the two allele

14 is not as active as the one.

15 Q And what causes that variation?

16 A I guess it's just the protein itself is not as efficient,

17 if you will. But, again, I'm not sure if that's a function

18 of the amount produced or the actual efficiency of the

19 protein.

20 Q What kind of wet state changes does ADA undero?

21 A Basically the same ones. The deamination, where it will

22 move towards the anode, you get storage bands up above

23 that.

24 Q Do you also get storage bands in the acid phosphatase?

25 A Yes, you do.

26 Q When -- when you're doing acid phosphatase and ADA, do

- 1       you again look for the presence of those storage bands?
- 2   A    Yes, I do.
- 3   Q    And if they are there, what does that tell you?
- 4   A    Okay. Basically I would -- most of the time I expect
- 5       to see them. It's simply a part of the analysis. If
- 6       they are extremely intense or something like that, then
- 7       that will give me a clue as to a degraded sample. But if
- 8       they are just a normal looking type of storage band, then
- 9       I -- it's a reliable result.
- 10  Q    Well, you -- do you normally check to make sure that you
- 11       don't have too intense a storage band?
- 12  A    Yes, I do.
- 13  Q    And that's for both acid phosphatase and ADA and any
- 14       other?
- 15  A    Yes.
- 16  Q    Do you have to stain in a particular place on the ADA
- 17       to -- to pick up those storage bands?
- 18  A    Basically you stain just all the way to the anodic side
- 19       of the plate.
- 20  Q    What about with acid phosphatase?
- 21  A    Acid phosphatase you stain above or more anodic to where
- 22       you expect the normal bands would be.
- 23  Q    And do you normally stain there in the area where the
- 24       storage bands are present?
- 25  A    Yes, I do.
- 26  Q    So if you had a degraded sample, you would be able to

00071981

1 pick it up in your staining procedure?

2 A Yes, you would.

3 Q You normally also photograph it so that somebody else  
4 could come by and see that?

5 A As far as I -- I try to photograph it so that you can  
6 see anything in there, yes.

7 MR. NEGUS: Could we stop for the day?

8 THE COURT: Sure.

9 It's my intent, to enable the dailies and to enable  
10 you people, to stop approximately four o'clock each day.  
11 I'll need sometime as well.

12 Counsel, before we break, however, I can give you  
13 copies of the transfer order to San Diego County, the original  
14 of which I order filed -- to be filed today. I'd like  
15 certified copies of the others which have to be sent to the  
16 Judicial Council. And it's all to take effect upon the  
17 conclusion of all of our motions that we handle in this  
18 county.

19 See you tomorrow at 9:30.

20 (Whereupon the proceedings for the day were  
21 concluded at 3:58 p.m.)  
22  
23  
24  
25  
26

20067002